

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

COMMODITY FUTURES TRADING COMMISSION

TECHNOLOGY ADVISORY COMMITTEE

(TAC)

10:00 a.m.

Thursday, October 3, 2019

CFTC Headquarters Lobby-level Conference Room

1155 21st Street, NW, Washington, D.C. 20581

1 TECHNOLOGY ADVISORY COMMITTEE (TAC) MEMBERS

2

3 Richard Gorelick, Chair

4 DRW Holdings, LLC

5

6 Erik Barry

7 Credit Suisse

8

9 Christopher Chattaway [via telephone]

10 Goldman Sachs

11

12 Thomas Chippas

13 ErisX

14

15 Charley Cooper

16 R3

17

18 Gary DeWaal

19 Katten Muchin Rosenman LLP

20

21 Christopher Hehmeyer

22 Hehmeyer Trading and Investments

1 Mayur Kapani
2 ICE
3
4 Derek Josef Kleinbauer
5 Bloomberg
6
7 Brian Knight
8 Special Government Employee (SGE) for CFTC
9 Senior Research Fellow, GMU Mercatus Center
10
11 Brad Levy
12 IHS Markit
13
14 John Lothian
15 John J. Lothian & Company, Inc.
16
17 Timothy McHenry
18 National Futures Association
19
20 Lee Olesky
21 Tradeweb
22

- 1 Alexander Stein
- 2 Two Sigma Investments, LP
- 3
- 4 Larry Tabb
- 5 TABB Group
- 6
- 7 Supurna VedBrat
- 8 BlackRock
- 9
- 10 Yesha Yadav
- 11 Special Government Employee (SGE) for CFTC
- 12 Professor of Law, Vanderbilt University
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22

1 A G E N D A

2 Page

3 Opening Remarks:

4 Commissioner Brian D. Quintenz 10

5 Chairman Heath P. Tarbert 18

6 Commissioner Rostin Behnam 22

7 Commissioner Dawn D. Stump 22

8 Commissioner Dan Berkovitz 22

9 TAC Chairman Richard Gorelick 26

10

11 Panel I: Virtual Currencies Subcommittee

12 Presentations

13 A review of the different natures and
14 characteristics of stablecoins and the
15 potential implications for regulation.

16 Lead Participants:

17 Gary DeWaal, Special Counsel, Chair,

18 Financial Markets and Regulatory,

19 Katten Muchin Rosenman LLP 27

20 Lee Schneider, General Counsel, block.one 28

21

22

1 A G E N D A

2 Page

3 Panel I: [continued]

4 A presentation on the various cryptocurrency
5 custodial relationships and custodial options.

6 Lead Participants:

7 Chris Brummer, Professor and Director,
8 Institute of International Economic Law,
9 Georgetown University Law Center 6310 Thomas Chippas, Chief Executive Officer,
11 ErisX 70

12

13 Panel II: Distributed Ledger Technology and Market

14 Infrastructure Subcommittee Presentation

15 A presentation on data privacy, and the
16 applications of distributed ledger technology in
17 derivatives markets for custody and collateral
18 management.

19 Lead Participants:

20 Brad Levy, CEO MarkitSERV, IHS Markit 99

21 Shawna Hoffman, IBM Global Cognitive

22 Legal Leader 112

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

A G E N D A

Page

Panel II: Distributed Ledger Technology and Market
Infrastructure Subcommittee Presentation

Lead Participants: [continued]

Yesha Yadav, Professor of Law,

Vanderbilt Law School 106

Lunch 133

Panel III: Automated and Modern Trading Markets
Subcommittee Presentation

A presentation on best practices for managing
risks associated with automated trading systems
and related market implications, highlighting
FIA's best practices and risk controls currently
employed on the ICE trading platform.

Lead Participants:

Alicia Crighton, Managing Director,

Goldman Sachs 135

Mayur Kapani, Chief Technology Officer,

ICE 144

A G E N D A

1		
2		Page
3	Panel III: Automated and Modern Trading Markets	
4	Subcommittee Presentation	
5		
6	Lead Participants: [continued]	
7	Ed Prosser, Senior Vice President,	
8	The Scoular Company	
9	Yesha Yadav, Professor of Law,	
10	Vanderbilt Law School	
11		
12	Panel IV: Cybersecurity Subcommittee Presentations	
13	A presentation on the Financial Services Sector	
14	Cybersecurity Profile.	
15	Lead Participants:	
16	Tim McHenry, Vice President, Information	
17	Systems, NFA	180
18	Josh Magri, Senior Vice President and	
19	Counsel for Regulation & Developing	
20	Technology, Bank Policy Institute	183
21		
22		

A G E N D A

1		
2		Page
3		
4	Panel IV: Cybersecurity Subcommittee Presentations	
5	[continued]	
6	A presentation on the current approach to vendor	
7	risk management, the challenges of that approach,	
8	and possible alternatives to consider.	
9	Lead Participants:	
10	Jason Harrell, Executive Director and Head	
11	of Business and Government Cybersecurity	
12	Partnerships, DTCC	191
13		
14	Closing Remarks	215
15		
16		
17		
18		
19		
20		
21		
22		

1 P R O C E E D I N G S

2 (10:00 a.m.)

3 MS. TENTE: Good morning. As the TAC Designated
4 Federal Officer it is my pleasure to call this meeting
5 to order. We are very much looking forward to today's
6 presentations.

7 Three logistical items before we begin. First,
8 please push the button on your microphone to speak,
9 the red light means the microphone is on. For those
10 participating by phone, please keep the phone on mute
11 until you are ready to speak and introduce yourself
12 before speaking. If you'd like to be recognized
13 during the discussion, please lift your name tents so
14 the Chair of the meeting can recognize you and give
15 you the floor.

16 Chairman of the TAC, Richard Gorelick, will lead
17 the meeting today, but first Commissioner Quintenz
18 will give his opening remarks.

19 COMMISSIONER QUINTENZ: Thank you very much,
20 Meghan, and good morning to all of our distinguished
21 members. Thank you very much for your participation,
22 your willingness to be here, your contributions to the

1 committee and to all of our subcommittee members who
2 are not on the full committee, let me also extend a
3 very warm welcome to Chairman Tarbert for his first
4 Technology Advisory Committee meeting. It's great to
5 have him here. I hope he finds them as informative
6 and engaging as I have over our last three meetings, I
7 don't think this will disappoint.

8 And thank you, Meghan, for your tireless work.
9 Meghan is our Designated Federal Officer and has
10 worked very hard to make today robust and seamless.
11 And let me also as always, thank the hard work of our
12 subcommittee DFOs, John Coughlan, Scott Sloan, Phil
13 Raimondi, and Jorge Herrada.

14 Forgive me for some extended opening remarks, but
15 I think we have a number of very important issues to
16 talk about today that I think there's either lack of
17 clarity on generally because of the nascency of this
18 space or things that we've talked about for a long
19 time on which I have strong views, but starting off we
20 have what I think is going to be an interesting
21 presentation by our Virtual Currency Subcommittee
22 that's going to hear from Gary DeWaal, Special Counsel

1 at Katten, and Lee Schneider, General Counsel of
2 block.one, on the key characteristics and legal
3 treatment of stablecoins.

4 And although the definition of stablecoin is
5 evolving, I think currently, they are generally
6 thought of as a class of virtual currencies that seek
7 to offer price stability or at least a value floor by
8 being backed by price stable reserve assets. Because
9 they strive for price stability, stablecoins have the
10 potential, I guess, in my opinion, through
11 tokenization, to function as a viable and liquid
12 medium of exchange. But given the nascency of this
13 class of digital assets I think it's important to
14 approach any stablecoin consistently with other
15 products that have similar characteristics, mechanics,
16 and structure.

17 We should not be trying on fit a square peg into
18 a round hole, but if something is a round peg it needs
19 to go into a round hole like everything else. So I
20 hope that Gary and Lee can give us advice on what's
21 square and what's round.

22 Next the subcommittee is going to hear from Dr.

1 Chris Brummer, Professor and Director of the Institute
2 of International Economic Law at Georgetown, and Tom
3 Chippas, the Chief Executive Officer of ErisX, to
4 discuss some of the unique challenges associated with
5 cryptocustody. The protection of private keys by
6 cryptotrading platforms, trust companies,
7 clearinghouses and others is an evolving landscape,
8 best practices which have quickly become robust.
9 We're going to hear from our Distributed Ledger
10 Technology Subcommittee that's going to presenting on
11 some real world applications of DLT technology,
12 including with respect to custody and collateral
13 management. I think DLT holds great promise to
14 safeguard individual's privacy, promote data
15 integrity, and ensure confidentiality.

16 As the technology matures I think it's going to
17 be interesting to explore whether there's a role for
18 DLT to play, with respect to firms demonstrating their
19 compliance with CFTC record retention requirements,
20 for instance, specifically if the agency could ever at
21 some point over a blockchain and through something
22 like a zero knowledge proof verify that certain

1 records exist within a firm and are being maintained
2 appropriately, that process could significantly
3 enhance customer protection, promote regulatory
4 compliance, while not requiring enormous regulatory
5 resources or exposing sensitive data to cyber risk
6 through electronic transfers.

7 Our Automated and Modern Trading Market
8 Subcommittee is going to continue its examination of
9 the true risks inherent in the modern trading
10 environment, and whether or how those risks are
11 currently being mitigated. In my view, many of the
12 risks posed by automated and algorithmic trading are
13 being addressed through market incentives, including
14 exchanges in firms' own self-interest to limit
15 significant operational risk to their businesses.

16 But to the extent gaps may exist, it's been my
17 hope the work of this subcommittee can illuminate them
18 and begin a conversation about the best way to examine
19 them and solve them.

20 Prior TAC meeting presentations and discussions
21 have already added a lot of clarity to that landscape.
22 To refresh everyone's recollections, in a prior TAC

1 meeting we had Bryan Durkin with CME Group to present
2 on how CME has implemented trading and volatility
3 controls that complement, and in some cases exceed,
4 the eight recommendations published by IOSCO regarding
5 practices to manage volatility and preserve orderly
6 trading.

7 More recently, the CFTC's Market Intelligence
8 Branch presented its own research report entitled,
9 "The Impact of Automated Orders in Futures Markets."
10 You know, that report contained several significant
11 findings, and although they're general and
12 preliminary, it included that the increase of
13 automated order activity generally seen across all
14 commodity markets has not correlated to increases in
15 end-of-day price volatility and actually in some cases
16 that the volatility declined as automated trading
17 increased.

18 Building upon that work, we're going hear from
19 Alicia Crighton, a Managing Director of Goldman Sachs,
20 who will discuss FIA's best practices for exchange and
21 firm risk controls. FIA has played a critical role in
22 advancing risk management and trading controls through

1 development of these best practices, and their
2 subsequent industry surveys regarding those best
3 practice adoptions. Ms. Crighton will discuss current
4 pre- and post-trade risk controls being implemented by
5 exchanges and firms today, taking into account the
6 dynamic and ever-evolving nature of these controls.
7 She will give us a preview of some of the next
8 generation of controls and best practices that are
9 currently being developed by exchanges and firms to
10 further refine and improve electronic trading systems
11 and protect integrity of our markets.

12 Next, and directly supplementing the prior TAC's
13 meeting presentations by CME, Mayur Kapani the Chief
14 Technology Officer for the Intercontinental Exchange,
15 will present on the risk controls that ICE implements
16 across all of its markets. Mr. Kapani will also walk
17 us through a real life example illustrating how these
18 risk controls worked during the recent volatility
19 spike in Brent.

20 Both of today's presentations demonstrate to me
21 how trading and risk management controls continue to
22 evolve with the trading technology itself. Controls

1 are constantly being updated to improve and respond to
2 market developments. Given how quickly advancements
3 in risk controls are occurring, I'd be curious to hear
4 from the subcommittee and the full TAC if it would be
5 informative to have an updated analysis to determine
6 what best practices look like in 2019 and how widely
7 they have been adopted.

8 Finally, the Cybersecurity Subcommittee is going
9 to present on the Financial Services Sector
10 Coordinating Council, or FSSCC Cybersecurity Profile,
11 building upon the overview provided at our last
12 meeting. Following that presentation the subcommittee
13 would like to discuss with the full committee whether
14 the TAC should vote to recommend that the Commission
15 issue a statement of support for the FSSCC
16 Cybersecurity Profile at the next TAC meeting. I'm
17 interested in hearing the feedback from the full
18 committee on that.

19 We'll also hear from Jason Harrell, the Executive
20 Director and Head of Business and Government
21 Cybersecurity Partnerships at DTCC regarding vendor
22 risk management. Mr. Harrell will discuss some

1 challenges of effective vendor risk management and
2 some potential alternative approaches that may address
3 those challenges.

4 Before I conclude, I would also like to thank
5 Richard Gorelick, TAC's Chairman, who is also the
6 chair of one of our subcommittees and member of
7 another subcommittee, for his tireless work in
8 preparation and advice in putting today's agenda
9 forward. Thank you all and I will turn it back to
10 Meghan.

11 MS. TENTE: Chairman Tarbert, would you like to
12 make some remarks?

13 COMMISSIONER TARBERT: Sure. Welcome, everyone.
14 Thank you all for coming, especially thank you to
15 Commissioner Quintenz and his staff for convening the
16 TAC. I would also like to thank you, Meghan, for your
17 work and being the DFO for this committee and also to
18 Richard Gorelick, of course, for chairing the TAC, and
19 all of you for traveling from far and near to come
20 here to the CFTC to take the time to share your
21 valuable perspectives.

22 This advisory committee in particular has a vital

1 role to play in the operation of our agency. We
2 regulate markets that are at the cutting edge of
3 technological innovation. As an agency, particularly
4 a federal agency, we don't always have the technical
5 expertise that our market participants have. We can
6 keep pace with our markets only through a dialogue, an
7 active dialogue, with those in the markets and all of
8 you who are driving these developments.

9 The topics for today's meeting reflect the rapid
10 technological transformation taking place in our
11 markets, automated trading systems, stablecoins, and
12 digital assets, DLT, cybersecurity, and the other
13 topics that Commissioner Quintenz mentioned. These
14 are exactly the types of issues we must gain insight
15 from industry. Our interest in these issues stem from
16 our larger mission, our job is to ensure that our
17 rules protect market integrity while fostering
18 innovation. And in fact, if you go to the CEA, the
19 Commodity Exchange Act, the word "innovation" actually
20 appears in the statute itself in the history and the
21 purposes. And I think that's really unique to the
22 CFTC.

1 But that, of course, requires a bit of balancing
2 act at times, market integrity as well as fostering
3 innovation. Market integrity is obviously about
4 protecting customer assets, making sure that our
5 markets function, and making sure everybody knows the
6 rules. If our markets are good enough, there's no
7 need to fix anything. But good enough isn't it the
8 ultimate objective and it never has been for the U.S.
9 financial markets. Our markets are always striving to
10 improve.

11 Now fostering innovation on the other hand, as
12 opposed to market integrity, is about letting change
13 happen in order to find a better way. But as all of
14 you know, perhaps more so than even us, innovation can
15 be messy. Some innovations are a dead-end and don't
16 live up to their promises. Other innovations create
17 more problems than they solve. And any innovation can
18 take a long time to realize its potential. Innovation
19 also sometimes bears risks. If the new mouse trap is
20 better at catching mice but ends up burning down the
21 house, then it's not a better mouse trap.

22 So how can a regulator strike the right balance

1 between maintain the integrity of the system while
2 fostering innovation?

3 Well, fortunately for us, the best way to strike
4 this balance, in my view, is through a principles-
5 based approach to regulation. And that has been a
6 hallmark and I would argue a very unique feature of
7 the CFTC's regulatory regime. We can set destination
8 but we leave it to our registrants to find the best
9 path to get there. This approach allows flexibility
10 for our markets to take advantage of new technology
11 and other advances but still retains fundamental
12 regulatory mandates so everybody knows what's expected
13 of them.

14 If we the regulator can better understand
15 innovations in our markets, then we can make sure we
16 have the right balance of principles and rules that
17 actually strike that balance that I mentioned.

18 So, I very much look forward to hearing all of
19 your views on these important issues. I may not be
20 able to stay for the entire meeting but I've got the
21 Power Points and I've got the video, and so, sometimes
22 late at night on the weekends, I find myself watching

1 these advisory committee meetings and in fact,
2 sometimes more than once, so I really feel like I
3 understand all the great things that you're
4 contributing. So thank you so much for having me.
5 It's an honor to be here.

6 MS. TENTE: Thank you, Commissioner Behnam.

7 COMMISSIONER BENHAM: Thanks Meghan. Good
8 morning everyone. Great to see all of you back in
9 Washington. I want to give a special thanks to
10 Commissioner Quintenz, thanks to Meghan and Richard
11 for your leadership here and it's great to see
12 everyone, packed agenda, I look forward to hearing
13 some of the findings and the work that you all have
14 been doing over the past few months and working with
15 all of you in the weeks and months ahead. So thanks
16 again.

17 MS. TENTE: Commissioner Stump.

18 COMMISSIONER STUMP: I have no formal comments,
19 but thanks to everyone who worked to pull this meeting
20 together. Thank you.

21 MS. TENTE: And Commissioner Berkovitz.

22 COMMISSIONER BERKOVITZ: Thank you. And I'd like

1 to echo all the thanks and appreciation to
2 Commissioner Quintenz for sponsoring the meeting, for
3 Meghan for facilitating it, and Richard for chairing
4 it. And I thank all the members for your time and
5 energy, what I know is a volunteer effort, and taking
6 time out of your schedule to help advise the
7 Commission on these important technical issues. I
8 look forward to all the discussions today on crypto,
9 distributed ledger, cybersecurity.

10 I want to take this opportunity to mention very
11 briefly another technology topic, using infrastructure
12 technology to make regulatory compliance more
13 effective and efficient. Regulators and market
14 participants have accomplished a great deal in
15 implementing the post-crisis regulatory reforms, these
16 have resulted in a safer, more robust financial
17 system. However, we can improve on our
18 accomplishments and I believe technology will be an
19 important driver in our efforts.

20 Compliance with the new regulations has not been
21 perfect. Just this week if you've been watching our
22 website, the Commission announced numerous swap

1 reporting violation enforcement actions, aggregate
2 penalties for swap reporting violations are now in the
3 tens of millions of dollars, over \$30 million and
4 counting. And this is the amount we have assessed.
5 It does not include the millions of dollars spent on
6 lawyers and consultants to address and remedy these
7 violations many of which could have been avoided with
8 better technical solutions to begin with.

9 It is my belief that FinTech solutions, that
10 digitize and automate swap transactions and life cycle
11 events, will lead to compliance that is both more
12 complete and more cost effective. The benefits of
13 automation are realized when repetitive processes are
14 standardized, digitized, and automated. Consistency
15 will reduce errors and human input, improving level of
16 compliance over millions of swaps.

17 In addition, integrating compliance features into
18 transaction infrastructure will increase compliance
19 rates and the public benefits of regulation are more
20 likely to be realized. While much of the substantial
21 cost savings from digitization, automation, and
22 standardization will relate to transaction costs

1 generally, savings and compliances costs can also be
2 expected. These savings will be passed on ultimately
3 to end-users in our markets, resulting in lower
4 transaction costs.

5 The CFTC can and should play a significant role,
6 working with market participants and FinTech providers
7 to help them build automated solutions that are cost
8 effective in fulfilling regulatory requirements. To
9 the extent feasible, and permissible, the CFTC should
10 also be more mindful of the role of technology and
11 compliance and take further steps to integrate
12 technology considerations into its approach to
13 regulation. Engaging with FinTech developers and
14 market participants on integrating compliance into
15 technology solutions should be a routine part of
16 CFTC's work.

17 If we can do this successfully, the CFTC will
18 have helped the industry achieve more effective and
19 efficient compliance. This is a win-win for the CFTC
20 and derivatives industry.

21 Thank you again and I look forward very much to
22 today's presentations.

1 CHAIRMAN GORELICK: Thank you. Commissioner
2 Quintenz, Mr. Chairman, Commissioners, and everyone
3 participating today. The TAC is an important venue to
4 foster public dialogue on the role of technology and
5 automation in today's modern electronic markets. The
6 TAC subcommittees have spent the past months
7 discussing technologies and developments that have
8 important impacts on the markets.

9 I look forward to facilitating the TAC's
10 discussion today to assist the agency in continuing to
11 promote a regulatory environment that understands and
12 utilizes technology to encourage fair competition and
13 innovation, and to give the Commission the tools to
14 continue to be an effective modern regulator.

15 With that let's turn to the first panel, which
16 will include two presentations from the Virtual
17 Currencies Subcommittee.

18 First, we'll hear from Gary DeWaal, who is the
19 Special Counsel and Chair of the Financial Markets and
20 Regulatory Group at Katten Muchin, and Lee Schneider,
21 General Counsel of block.one. Gary and Lee will
22 present on stablecoins and the potential implications

1 for regulation. Thank you.

2 MR. DeWAAL: Thank you, Richard. Thank you,
3 Commissioner Quintenz and thanks to all the
4 Commissioners and their staff and Jorge who literally
5 whips us every day to ensure that we are here and
6 making, hopefully, valuable presentations. It's an
7 honor.

8 So, as way of introduction, if you don't know me
9 I'm the Gary DeWaal they referred to and this is Lee
10 Schneider. And we're here to talk about, as you said,
11 stablecoins.

12 In general, I agree with Commissioner Quintenz's
13 definition and I'd like to expand a little bit more
14 though. Rather than just being crypto assets, that
15 endeavor to maintain a stable value against a basket
16 or individual assets, I'd like to talk about
17 stablecoins being crypto assets that endeavor to
18 maintain a stable value against a target referent.
19 Lee will tell you, in a few seconds, that a referent
20 can be tangible or intangible and the means of
21 achieving stability can be quite varied.

22 I will tell you the Devil is in the details as

1 far as what the construction of stablecoins are
2 because an analysis is critical to determining how and
3 which regulations might apply. But even though it's
4 critical, and even though it's helpful, it's far from
5 certain, even after analysis which regulations might
6 apply.

7 Currently there are 65 to 70 active public
8 stablecoins, there are also virtual assets effectively
9 -- that are private and are effectively like
10 stablecoins. One stablecoin, Tether, is currently the
11 fourth largest cryptocurrency by market cap, after
12 Bitcoin, Ether, and XRP. At the end of last year, the
13 market cap of stablecoins was three billion, and of
14 course, there's Facebook Libra.

15 Here to provide more insight into the nature of
16 stablecoins and to discuss -- is Lee, and then
17 afterwards I'll discuss the regulatory environment.

18 MR. SCHNEIDER: Thank you Gary. Thank you to all
19 of you on the committee. It's a pleasure and honor
20 for me to be here and talk about some of my views on
21 stablecoins, and I'll emphasize the point that these
22 are my views, I'm not purporting to speak on behalf of

1 block.one or anybody else.

2 Let's take a step back before we dive into the
3 topic of stablecoins, and just remember that with
4 respect to any crypto asset, what we're talking about
5 is digital representation. And you live in a world of
6 digital representations all the time, so it's
7 important to remember that crypto assets are just
8 another form of digital representation.

9 What do I mean by that? Well, when I shop for
10 shoes in a shoe store, I actually pick up shoes and I
11 hold them and I try them on. When I shop for shoes
12 online, I look at digital representations of shoes.
13 Now you could posit a stablecoin that is effectively a
14 digital representation of a shoe, and before you all
15 say that's ridiculous, let's think about the market
16 for sneakers. There are lots of people who buy and
17 sell rare sneakers with the expectation the value of
18 those sneakers is going to go up. And many of those
19 marketplaces are in the virtual world and may
20 not rely on cryptographic encryption on a blockchain,
21 but there's still digital representations. So you
22 could you have a stablecoin that's equal to a pair of

1 Yeezys, shoes that I know nothing about other than the
2 name.

3 Another way to think about stablecoins is what
4 else can you digitize and create as our digital
5 record? You can -- as many blockchain companies are
6 talking about, think about identity, as on the
7 blockchain. In other words, a blockchain asset that
8 is representative of people's identity, and with
9 apologies to my psychiatrist, I like to think I'm
10 stable, and so my identity should be fairly stable and
11 would be a form of stablecoins.

12 My point here is we need to really, as Gary
13 emphasized, focus on the functions and features of the
14 stablecoin, or frankly, any other digital asset that
15 we're talking about. And when I look at the world, at
16 least from a legal standpoint, I sort of see two
17 categories of assets: physical assets, or tangible
18 assets, and intangible assets.

19 And stablecoins are often designed to be stable
20 against the value of the referent asset, the
21 underlying -- in some cases underlying asset, in other
22 cases referent asset, and that underlying asset can be

1 a physical asset like gold, for example, you could
2 have a stablecoin that equals one gold coin minted by
3 the U.S. Mint, but it could also be intangible assets
4 like dollars, like securities.

5 What are intangible assets? Intangible assets
6 really are human ideas or concepts that we give a
7 legal wrapper to in order to consider them to be
8 assets. And stablecoins are just providing a digital
9 wrapper around that legal wrapper so that you know
10 what the referent asset is.

11 With physical assets stablecoins, if it's a
12 direct link like my example of the U.S. Mint gold
13 coin, I think really that's no different than the
14 situation of shopping for shoes.

15 With regard to intangible assets it often gets a
16 little more difficult because you've created a digital
17 representation of that intangible asset, but how have
18 human beings done that in the past? Well, human
19 beings have used, for example, paper stock
20 certificates to represent shares of stock. They've
21 used dollar bills to represent USD fiat currency. And
22 using a digital representation of those things really

1 isn't designed to change the character of it.

2 I like to joke with people that there has long
3 been a U.S.D. stablecoin, it's called a bank deposit
4 account, right? It's a digital representation; I
5 access my bank account on an app, and regularly
6 transact through the app in U.S. Dollars, none of
7 which is currency at all -- physical currency, at all.

8 We live in this digital world already and what
9 the people who are creating stablecoins are trying to
10 do is mimic a lot of the ideas that we are already
11 implementing in the world, just using the power of
12 blockchain and encryption to try to make them a little
13 bit more transparent, and a little bit more auditable
14 and safer.

15 So, when I look at these issues, I really feel
16 that by digging into the functions and features of the
17 stablecoin, that is what gets me to the point where I
18 can do some legal analysis about it, and gets me to
19 the point where as a securities lawyer, I can have
20 good debates with Gary, who's a futures lawyer, and we
21 can try to untangle, are we dealing with something
22 that's future/swaps world? Are we dealing with

1 something that's securities/security-based swaps
2 world? Or are we just dealing with sneakers?

3 So, with that I'll turn it back over to Gary,
4 who's going to talk more about the legal analysis.

5 MR. DeWAAL: Yeah. So, to me, and thank you. I
6 don't know why, as you were speaking, I remembered my
7 first class in philosophy, and Descartes, remembering
8 that when I look at things I don't see the thing. I
9 see the image of the thing. So, I guess the concept
10 of stablecoin has been around a lot longer than I had
11 anticipated.

12 The legal analysis of stablecoin, to me, is
13 fascinating and it's based upon a statement I've made
14 many, many times, which is, the whole regulation of
15 virtual assets is complicated not because they are a
16 new asset, but because the evolution of regulation
17 generally which was mostly developed in the financial
18 services industry in the early 1900s, 1920s and 1930s,
19 has evolved and over time because of the way it's
20 evolved and because of the way that financial
21 instruments have evolved, there's been a mismatch
22 between regulation and the instruments themselves.

1 And what the whole aspect of virtual assets have done
2 is they have amplified that problem. So that nothing
3 neatly fits in.

4 But a lot of the problems that we're dealing with
5 in the virtual asset space really preceded the advent
6 of virtual assets. For example, as I say, everybody
7 remembers the 21(a) SEC report on the Dow, but in
8 fact, the SEC's 21(a) report on Eurex Deutschland
9 would be more interesting, to me, because it showed
10 one day something could be a basket of securities and
11 be regulated by this agency exclusively and the next
12 day it could be something else regulated by the SEC
13 typically because the market cap of one of the
14 components had changed. And you've seen that.

15 So what's the issue with stablecoins? What is
16 the problem? Well, I think as Lee has pointed out,
17 stablecoins are simply another electronic
18 manifestation of something. You know, there have been
19 gold warehouse receipts for a very, very long time.
20 No one really necessarily needs to touch a physical
21 receipt anymore. People pass the electronic warehouse
22 receipts. And they generally have been dealt with as

1 traditional warehouse receipts applying whatever the
2 traditional requirements might be.

3 It shouldn't be any different for these new
4 instruments called stablecoins simply because of what
5 they are called, simply because they are called --
6 they are a form of virtual asset. And that's why it's
7 important to look into the different types of
8 stablecoins, and figure out what they may be.

9 So obviously, there are the stablecoins that are
10 singularly backed by an individual something, whether
11 it's a currency, or an asset. Tether, for example,
12 being backed by theoretically U.S. Dollars, and Paxos
13 Gold, for example, being backed by a kilo of gold.

14 The construction is very, very critical. If a
15 stablecoin is backed by a single asset so that there's
16 no override of management involvement, it's someone
17 gives the dollar, it goes into a custody account, a
18 stablecoin is burnt that effectively reflects that one
19 dollar. First of all, the value of a stablecoin
20 allows you to transact on the blockchain potentially
21 with something that has stable value. A merchant who
22 is used to thinking in U.S. Dollars is much more

1 inclined to take a stablecoin for payment than Bitcoin
2 whose price may vary rapidly.

3 If something is backed individually by a single
4 dollar or a single gram of gold, then certainly one
5 could argue it is not a security. Certainly the Howey
6 test, the traditional Howey test does not apply.
7 There is a question because everybody knows about
8 Howey, there's another famous SEC decision, known as
9 Reeves, and that involves whether an obligation of the
10 issuing firm might constitute a security. And
11 typically there's a presumption that an obligation
12 instrument of a corporation is a security, unless it
13 has a family resemblance, that's what the Supreme
14 Court said in the 1990 decision, to things that are
15 not securities. But if the purpose -- as in that
16 case, where there was a bankruptcy of a farmers
17 cooperative, and the allegation against the accounting
18 firm, which was that you did not apply GAAP in the way
19 you accounted for this product, and if you had applied
20 GAAP we would have known that the company was going to
21 be insolvent and the 1,600 people who lost an
22 aggregate \$10 million, theoretically, would have had

1 better notice.

2 Well, what the Supreme Court basically said is
3 apply the four tests. What was the purpose of the
4 obligation? Was it -- in this is case it was capital
5 raising, that's what it seems, that's how it had been
6 marketed. What was the plan of distribution? In
7 Reeves, it was wide spread and it was a public sale.
8 What was the reasonable expectation of the public? In
9 Reeves the Supreme Court said that people were buying
10 it as investment. And is there something else out
11 there that would have provided regulatory protection?
12 And again, the Supreme Court said in this case the
13 answer was no. So none of the components that might
14 have shown that it had, you know, an exception to a
15 resemblance to securities was at play. So a decision
16 was made that it was a security. That analysis could
17 lead to an individual coin under stablecoin with one
18 asset under some circumstances, in my view, likely
19 being deemed a security but where there is dedicated
20 custody of something and the claim is really against
21 that custody element, I don't think the Reeves test is
22 met. But a slight permutation can change things.

1 So for example, where stablecoin today might be
2 backed 100 percent by USD, but tomorrow be backed 70
3 percent by USD and 30 percent by an IOU or something
4 else. Then maybe Reeves does become relevant - -
5 maybe Reeves does become relevant - - and maybe
6 something that today was not necessarily a security,
7 like in the Eurex Deutschland order, tomorrow is.
8 That's potentially a problematic outcome.

9 Moreover, if it has multiple instruments backing
10 the stablecoin and somebody is in charge of deciding
11 what those multiple assets are, and what percentage
12 those multiple assets need to be, in order to achieve
13 the stable price -- well, maybe now you're back into
14 Howey-land, maybe you're relying on whoever is making
15 that decision to manage the assets. Maybe you are in
16 collective investment vehicle-land. I mean, as you
17 start permutating facts, you can start moving in
18 different classification lands with different
19 outcomes. And this is just the United States. And
20 this is just looking at whether something is a
21 security or not.

22 There are other regulators that are relevant to

1 the process, as there are with all virtual assets.

2 If something is of the nature of a virtual
3 currency, then likely - - and there's an issuance of a
4 virtual currency, it is potentially likely that the
5 issuer falls in state-land under the money
6 transmission regimes. It could be deemed a payment
7 issuer. The coin itself could be considered a payment
8 instrument. The transactions on an exchange likely
9 also fall within the money transition regime. Why is
10 this relevant and why is it also relevant also at the
11 fed level because now you're talking FinCEN BSA, the
12 Bank Secrecy Act, AML requirements.

13 You're talking the same things at the state
14 level, but you're also talking about other necessary
15 registration requirements. In New York State you're
16 talking potentially Bit license.

17 There are a host of different permutations and
18 there's some things that necessarily fall within the
19 cracks. The OCC recently tried to develop a FinTech
20 licensing authority, it was challenged by the
21 Department of Financial Services in New York and some
22 other state regulators. And ultimately, we'll get a

1 decision now on that. As regulators fight over turf
2 in this space, as to who regulates.

3 And there are issues out there that haven't even
4 been discussed. At least in any formal intellectual
5 way. One could argue, and I don't think they should,
6 but it has been raised that the definition of a swap
7 under the Commodity Exchange Act is so broad, so
8 broad, is it possible that a stablecoin could fall
9 within the definition? It's a call, or similar option
10 of any kind, for the purchase or sale, or based on the
11 value of a commodity, maybe. It's been raised. I
12 don't think that's the right outcome.

13 But the issue is, is that this is a continuum.
14 Stablecoins represent a continuum. They are all
15 objects based on some referent. That referent could
16 be an asset, a tangible asset, sneakers. It could be
17 something more intangible, or it could be an
18 algorithmic device that maintains stability through
19 the process of the algorithm. Once stablecoin has
20 withdrawn, even though it's achieved \$133 million in
21 private equity financing, Basis, because the
22 indication was their method of algorithm was likely to

1 be deemed a security or an investment contract -- make
2 the whole process an investment contract by the SEC,
3 they didn't want to go forward.

4 Little permutations can have great differences,
5 and that's just the United States. Regulators around
6 the world are formally studying this, partly because
7 of the proposed introduction of Facebook Libra.
8 Earlier last month, FINMA, the Swiss regulator, came
9 out with guidance on stablecoin.

10 Interestingly, their basic proposition, is they
11 were going to apply a principle of same risks, same
12 rules. No matter what we call this thing, stablecoin
13 or something else, if it has risks that are consistent
14 with other products, we're going to regulate that like
15 other products and that's what the guidance
16 effectively says.

17 So in preliminary guidance the Swiss authority is
18 looking at Facebook Libra, which again, well-
19 publicized, would be based on a basket of underlying
20 assets, fiat currency, some government instruments,
21 details to be fleshed out, overseen by the Libra
22 Association, details to be fleshed out, but

1 preliminarily the Swiss authorities said it would fall
2 under their Financial Market Infrastructure Guidance,
3 would require a payment instrument license under their
4 regime, something a cross between payment instruments
5 under the states and e-money under the EU Directive.
6 They'd be subject to AML requirements, not
7 inconsistent with probably what would happen in the
8 United States.

9 But here's the interesting aspect. They then go
10 that because Facebook Libra would likely increase the
11 risk to the payment system, it should be subject to
12 corresponding requirements to address those risks.
13 What would those be? And again, the version I read
14 was in English so I'm not sure 100 percent that the
15 translation was great. But possible capital
16 requirements to address credit, market, and
17 operational risk, and matters to discuss risk
18 concentration and the management of Libra Reserve.

19 A statement that the risk of the reserve must be
20 borne by the Libra Association, not holders, but
21 details to come upon filing a formal application. So
22 the Swiss authorities in response to request for

1 specific guidance to specific items; payment license,
2 AML requirements, the rest to come. It's hard to
3 develop a system with the rest to come.

4 Now, in my view, stablecoins have great potential
5 to expand the usage of blockchain technology. We all
6 know about the inherently slow processing speed of
7 Bitcoin and some of the other cryptocurrencies. Vis-
8 à-vis some of the existing electronic systems out
9 there by private vendors.

10 But to Commissioner Berkovitz's point, if there
11 was ability to have a comprehensive blockchain-based
12 system, for swaps, and we know ISDA, by the way, is
13 creating protocols to help potentially advance that,
14 if there was a comprehensive mechanism to capture
15 swaps online subsequent events to move payment
16 instantaneously, that was valued instantaneously,
17 very, very powerful because obviously if it's all
18 happening on one system reporting is almost a weird
19 concept because the information is there to see all
20 the time through oracles or whatever that the
21 government might utilize.

22 Stablecoins have the potential to help

1 transactions, internationally. But the regulatory
2 environment today is unsteady, even if you get down to
3 the different analysis, as I said, with the gold based
4 on allocated gold - - a coin based on allocated gold
5 is probably no different than a warehouse receipt and
6 any kind of existing regulations that apply to
7 transmission of warehouse receipts should apply.
8 That's easy. But once you stop moving gold based on
9 unallocated gold, that's an issue. Coin based on
10 allocated or unallocated gold and something else,
11 that's an issue. I mean, every permutation.

12 And by the way, this is just public blockchains.
13 We know that there are initiatives by corporations to
14 have privately developed things like stablecoins, the
15 JPM coin, good example. On a private permission
16 blockchain, not out there in the general public,
17 should have entirely different issues.

18 In fact, the SEC recently issued a No Action
19 Letter in connection with a chartered air company,
20 TurnKey Jet, that wanted to have their own thing like
21 a stablecoin, TKJ coin, and said not a security. But
22 there were a whole host of things that the SEC said

1 were critical to that determination, not just the fact
2 that it was a stablecoin backed by 100 percent of the
3 relevant fiat currency totally - - only accessible
4 only on a private blockchain effectively by the users
5 of services to get chartered jets.

6 So we're a long way away from regulatory
7 certainty, and I fear that there is a certain amount
8 of turf fighting that's out there. You saw that in
9 the reaction to the Facebook Libra project. Privacy
10 concerns, banking concerns, central government
11 concerns of what happens if it's widely accepted?
12 Does it undercut the central banks? Significant
13 issues. But, again, my point is a lot of these issues
14 are simply amplifying difficulties in the law already
15 existing in connection with existing financial
16 instruments. The advent of crypto assets has simply
17 amplified those difficulties and the introduction of
18 stablecoins, again, just another step on the way.

19 Lee?

20 MR. SCHNEIDER: Thank you, Gary. If it would be
21 helpful, we have in the slide deck a bunch of
22 different examples of stablecoins, and I thought maybe

1 I'd walk through a couple of them just to be
2 indicative. So if you look at slide 6, and we've got
3 it up here on the screens, Tether is as Gary mentioned
4 the fourth largest market cap, and by the way I'm just
5 using market cap colloquially here, I don't mean
6 market cap in terms of market capitalization of a
7 stock. Market cap is a term that's been broadly
8 adopted in this space, one that I find to be not
9 accurate, but we'll use it for convenience purposes.

10 So, Tether was one of the original U.S. Dollar
11 stablecoins. It goes by the symbol USDT. And it's
12 designed to give people the ability to pay in U.S.
13 Dollars, and then receive in exchange newly minted
14 Tether stablecoins. And each Tether coin is supposed
15 to be worth a dollar. The coin itself trades on
16 third-party exchanges, and sometimes is actually worth
17 slightly more than a dollar, sometimes it's worth
18 actually slightly less than a dollar, but it generally
19 stays within a pretty tight band around a one-for-one
20 value with the dollar.

21 Earlier this year, the New York Attorney General
22 initiated a suit against the makers of Tether, and

1 alleged a variety of potential violations under New
2 York law. One of the key facts that people learned, I
3 think, as a result of the Attorney General's action is
4 that the company behind Tether was not actually
5 holding one-for-one dollars against all of the
6 outstanding stablecoins, but rather was using a
7 combination of dollars and certain other assets,
8 including debt instruments or loans with affiliates,
9 to approximate that.

10 Now, as Tether pointed out in their court
11 filings, they were keeping about 70 to 75 percent of
12 the coins backed by U.S. Dollars, and they did a
13 comparison of that to your typical bank account, your
14 demand deposit account, where banks are not required
15 to maintain anywhere near that level of backing for
16 it.

17 So, Tether is one example. And, again, as Gary
18 mentioned, you can see a situation where if all of the
19 Tether coins are represented by underlying dollars, it
20 looks very much like warehouse receipts or bailment
21 type of activity. But what the analysis is in light
22 of some of the facts that came out as a result of the

1 Attorney General investigation is still somewhat
2 unclear.

3 And by the way, Tether claims they made all kinds
4 of disclosures about the mix of assets that might be
5 backing the coin, so I don't mean to suggest Tether is
6 wrong and the Attorney General is right or vice versa,
7 that's still to be decided.

8 The next one that I'll talk about is also on the
9 same slide, TrueUSD. They have a bunch of different
10 stablecoins for other fiat currencies. They are --
11 they represent to the world in their disclosures that
12 it's 100 percent backed by the referent fiat currency.
13 So that would be as distinct from the situation with
14 regard to Tether. Gary mentioned the Turnkey jet
15 situation. We have a short discussion about that, as
16 well as Libra. Obviously Libra is still in the
17 proposal stages. And so, we don't have clarity on
18 exactly how it will work.

19 And what roles of participants will be with
20 respect to Libra, but I would like to emphasize here
21 that understanding the roles of the various different
22 participants can also be very important so while the

1 "issuer" of the stablecoin might have one set of
2 regulatory obligations, other participants who work
3 with the issuer may have other regulatory obligations
4 based on their activities. So for example, and this
5 is not the case at least as Libra has so far been
6 described, but if you had a stablecoin that was like a
7 one of the SPDR ETFs, that was mimicking an index, you
8 might have participants who are engaged in trading
9 activities on behalf of the underlying pot of
10 securities in order to maintain the balancing as
11 against the referenced index.

12 And so, in those situations you would say, well,
13 they are engaged in securities trading activities,
14 maybe they need to be registered as broker-dealers.
15 So, you need to not just look at what the issuer is
16 doing, but also what other parties involved are doing.

17 The last one I wanted to reference briefly is the
18 Paxos gold coin. That's on the next slide. Paxos
19 Gold is designed to give a stable value as against
20 gold. And the gold is actually in bars in Brinks'
21 vaults, so we know there's custody of the gold. Now
22 you can redeem your tokens if you're an individual

1 person, you can redeem your tokens for U.S. Dollar
2 value or for some specific gold, physical gold, but
3 institutional participants are also allowed to redeem
4 for unallocated gold. And as Gary mentioned, there
5 could be some differences in terms of what the legal
6 treatment of this asset is based on what you can
7 redeem it for.

8 Maybe let me just make one last point, and we'd
9 love to answer questions that you all have. But the
10 last point I wanted to make is the disclosures that
11 are made in connection with the stablecoin are hugely
12 important. I think the idea of good quality
13 disclosures, so that people know what they are
14 getting, so that people can evaluate what it is that
15 they are purchasing, are the benchmark or cornerstone
16 of regulation in the U.S., they are the benchmark and
17 cornerstone in fraud cases. And so, focusing on the
18 types of disclosures that are being made is, I think,
19 hugely important.

20 MR. DeWAAL: And the last thing I'd just like to
21 point out is not only are regulations obviously
22 different, that's existing the case, so same things

1 can be regulated differently in different jurisdiction
2 around the world. But from the perspective of an
3 individual regulator in any one country, an instrument
4 can be fundamentally different. A stablecoin based on
5 USD, it maybe has one treatment in the USA but that
6 same token sold to a citizen in a foreign country, a
7 non-U.S. country, depending on how it is marketed it
8 could be a straightforward speculative investment
9 because obviously there is currency arbitrage
10 immediately, if someone is paying Uganda currency
11 against a U.S. Dollar coin immediately there's a
12 speculative play. And someone could solely be buying
13 it not for transactions, not for inherent value, but
14 in fact as an arbitrage opportunity. And therefore,
15 fairly a regulator could take a very different view as
16 to what the nature of an instrument is simply by where
17 it's sold and how it's marketed. And with that we're
18 happy to take some questions.

19 CHAIRMAN GORELICK: Thank you. While everyone is
20 thinking of their questions and putting their name
21 cards on their side to be recognized, I'd like to
22 start off with one question.

1 In very excellent lawyerly fashion, both of you
2 said "maybe" a lot. You said "it depends" a lot, you
3 said "it's uncertain" a lot, and I think ironically
4 said "unstable" on some occasions. Do you have any
5 advice -- I'll break this up in two parts, for the
6 Commission and for policymakers about how to start to
7 clear up some of this ambiguity?

8 And secondly, do you have that similar advice for
9 industry participants who are struggling to figure
10 these things out?

11 MR. DeWAAL: Particularly for this agency, you
12 know, the only thing that is clear to me, and I didn't
13 see Jamie Macdonald here, is that absent something,
14 you know, clearly being a security if someone commits
15 fraud in dealing with stablecoins, it is likely that
16 the CFTC will have jurisdiction for antifraud under
17 6(c)(1), and to the extent there's leverage there
18 could be obligations for folks to transact to a
19 futures Commission merchant and/or on a licensed
20 exchange.

21 I don't think the analysis for this agency is
22 necessarily different than any other virtual currency

1 to the extent that's the nature of the stablecoin.
2 It's get a little trickier where the stablecoin is
3 really stabilized through algorithmic, and again
4 there's a very, very important SEC case, there was a
5 settlement last year, *EtherDelta*, against a
6 proprietor, one of the original developers of
7 *EtherDelta*, that effectively said if there's a
8 requirement that something be traded on the exchange
9 and the fact the exchange is algorithmic, someone's
10 responsible and we're going to deal with that.

11 I know that Commissioner Quintenz right about the
12 same time issued -- in a speech said something
13 similarly that somebody has to be responsible if
14 there's a violation. Those probably precepts still
15 apply. But I don't see necessarily this Commission,
16 you know, having the basis to expand the definition of
17 a swap, for example, to capture, although the plain
18 language arguably captures many stablecoins, but it
19 would be an extension to believe that.

20 To me, the regulators internationally have to
21 figure this out. Again, it's a bigger discussion,
22 because the fact that you look, as Lee has said, as I

1 have said, at an instrument, we've been dealing with
2 electronic manifestations of things for a long time.
3 The only difference now, we're labeling them now
4 stablecoins or labeling them virtual assets, okay?
5 And that the technology is a little different,
6 blockchain, Distributed Ledger Technology. But we've
7 been using SWIFT, we've been using all sorts of
8 electronic ways to settle transactions for quite some
9 time now, and there's been law that's developed around
10 that.

11 You know, as I said, I like what the Swiss
12 regulators said. Same risks, same rules. Okay. But
13 we shouldn't be looking -- and I hope the regulators
14 are not looking to expand. It's confusing. And yes,
15 if we can solve some of the confusion among similar
16 type of products, that would be very, very helpful.
17 Okay? But to the international organizations, whether
18 it's IOSCO, these discussions are important to have,
19 and it's important to have some kind of, you know,
20 consolidation. But probably at this point that's best
21 to get it.

22 I mean, the FCA earlier this year issued guidance

1 on what it thinks is within its perimeter, regulatory
2 perimeter, those kind of thinkings are good. Issuing
3 guidance is good. Issuing the views of regulators is
4 good. But at the end of the day people come to us,
5 outside counsel, and they to ask our views and we
6 really have to break it down and we have to talk to
7 the regulators, and we try to get the answers from the
8 regulators the best we can. There are very few formal
9 opinion letters issued these days in this area. You
10 get some reason views and you hope you can go forward,
11 but as we saw with Basis and SEC, sometimes you end up
12 hitting a road block and pull back and you've lost a
13 lot of money and that's not very productive.

14 MR. SCHNEIDER: Let me just add to what Gary
15 said.

16 Most of my maybes and uncertainties were around
17 trying to develop a category called stablecoins. I
18 actually think that most of the time, if you look at
19 the functions and features of a particular token or
20 stablecoin, you can come to a conclusion as to what
21 type of instrument it is.

22 So, my purpose hopefully is not misconveyed. I

1 don't think this is some new wacky, weird world that
2 needs to be discovered. Rather, I think we need to go
3 back to existing principles, go back and look at
4 existing types of instruments, and do what lawyers and
5 regulators have done forever, which is this looks
6 exactly like that, and so we're going to treat it like
7 that. Something else looks like something else, we're
8 going to treat it like the other thing that it looks
9 like and not try to reach out and create new
10 categories that are just dependent on the fact that
11 there's encryption technology and blockchain
12 technology involved here, as opposed to some other
13 kind of database technology.

14 CHAIRMAN GORELICK: Thank you. Superna?

15 MS. VEDBRAT: So this is very informative. I
16 actually have two questions. One, if you could just,
17 you know, give us some examples of what are the
18 attributes or characteristics that stablecoin brings,
19 advantageous ones, relevant to whatever reference
20 asset it is linked to.

21 And then the other is, is there any similarity in
22 stablecoin and, you know, the exchange of value that

1 you see in applications like Venmo?

2 MR. SCHNEIDER: So to answer your second
3 question, look, I think that stablecoins can be an
4 important payment rail. I have some skepticism
5 whether stablecoins in a country like the U.S. are
6 necessary given the way the dollar functions and the
7 existing payment rails we have, particularly now that
8 the Fed has announced they are going to go to
9 24/7/365, Fedwire and all of that.

10 That said, there are obviously other countries in
11 the world that could benefit from stablecoins, and to
12 the extent that people in those countries want or need
13 access to a U.S. Dollar based-type of stablecoin that
14 could be hugely useful for them. Now remember there
15 are other types of stablecoins not designed to be
16 currencies, one might say although the Paxos Gold
17 stablecoin is a stablecoin, referent asset, gold,
18 tends to be volatile at different times in the
19 investment cycle. You might say that's not
20 particularly stable and wouldn't want to use that as a
21 payment tool. You might want to use that as more of
22 an investment tool.

1 And the same would hold true for any other type
2 of stablecoin that you could dream up. For example, I
3 talked earlier about an ETF like a SPDR-ETF where it's
4 an S&P 500 Index or some other S&P Index-based coin.
5 Again, that would be stable against that referent
6 index, but to the extent there was volatility of that
7 index probably not particularly stable and not maybe
8 that useful as a payment mechanism.

9 Gary, did you want to answer her first question?

10 MR. DeWAAL: Yeah, I think the attribute is tied
11 to what I said before. To me, the power of the
12 blockchain is the fact that it brings to one
13 relatively transparent location, a lot of transactions
14 and a lot of information and things can happen in a
15 relatively efficient way. Whether we're at that
16 point, whether the answer is a private blockchain or
17 public blockchain, those are things we can all debate.
18 But to me, the power of the blockchain is dealing with
19 what Commissioner Berkovitz has sort of said,
20 something he sees from a regulator point of view,
21 which is bringing things to one location.

22 And to the extent that, you know, there are

1 international aspects of transactions, the extent
2 there are transactions outside the United States, then
3 to the extent that the settlement can occur, so that
4 if I move a JPM coin, I know even no matter what time
5 of day I get it, I have immediate ability to translate
6 into whatever currency I want, that's powerful. And
7 again, coupled with a complete system, this is all
8 powerful stuff. This is nirvana view. And we're
9 probably not there yet. Okay? But we will get there.
10 Okay? We will get there.

11 And my guess is it's only a short matter of time,
12 Christine Lagarde said it's time for the Central Banks
13 to be exploring the issuance of their own digital
14 coins. And I think that we will see the combination
15 of blockchain technology and some kind of another form
16 of electronic currency really being very helpful tools
17 going forward.

18 MR. SCHNEIDER: Just to add one quick point to
19 what Gary said referencing the infrastructure comments
20 from Commissioner Berkovitz and also Commissioner
21 Quintenz's comments about using blockchain as a
22 possible way to help with compliance infrastructure,

1 there is, in my view, a very good paper that just came
2 out of the Bank of International Settlements about
3 embedded supervision and how regulators and financial
4 services industry supervisors can use blockchain and
5 its capabilities to better further their mission in a
6 much more effective and efficient fashion. I highly
7 recommend it to anybody who is interested in that
8 topic.

9 CHAIRMAN GORELICK: Thank you. I think we have
10 time for one last question. Yesha, I saw your card
11 up.

12 MS. YADAV: Great. Thank you for this very
13 informative and insightful presentation. I just had
14 two questions.

15 The first references Chris Brummer's testimony in
16 relation to Facebook Libra in an issue that came up
17 there. Some of the sort of potential reference
18 assets, for example, the British Pound or the Yuan,
19 gold as you said just now are - - have been extremely
20 volatile over the last year. So we've seen rapid
21 devaluations in response to market events. And in
22 that context how do you envision issuers of

1 stablecoins having and developing the expertise in
2 valuation, in sort of developing the ability to deal
3 with adjustments, rapid adjustments to make up for
4 shortfalls, that would happen in this context?

5 And the second question is in relation to
6 stablecoins that are pegged to dollars. In that
7 context, how would users or retail users, for example,
8 distinguish such stable coins from digital
9 representations of value in their online bank account
10 and in that context when that is the case, how
11 effective is disclosure as a protective mechanism when
12 we're dealing with retail users that may be prone to
13 panic, that may sort of want quick liquidity for their
14 assets, and how will disclosure help them in that
15 case?

16 MR. SCHNEIDER: So I seem to be answering second
17 questions first for which I apologize, but I do think
18 the second question is a very good one. And my belief
19 is that just as researchers and others have adopted
20 ways to value what we consider sort of traditional
21 financial assets, the same will happen with regard to
22 stablecoins. And that's why from my perspective an

1 emphasis on disclosure is important. Because in order
2 for -- and, look, it's the same emphasis on disclosure
3 that's already embedded in the Securities laws and the
4 Commodity Futures swaps laws, you have to understand
5 what the thing is and once you understand what the
6 thing is, then you can figure out how to use it, how
7 to value it, and how to regulate it.

8 And to my mind, that's where you focus on the
9 functions and features and the disclosures so that
10 people can develop models that will be useful for
11 them.

12 MR. DeWAAL: I guess I get the first question,
13 again. I don't see managing a basket of assets to
14 Libra any differently than managing a basket of
15 anything where you're trying to achieve a result.
16 Obviously people will develop expertise, trading
17 expertise, they will figure it out over time. My
18 guess is obviously they'll be using mathematical
19 models or computer models and there will be
20 algorithms. And that's why there are already
21 algorithmic stablecoins that achieve the stability of
22 price through algorithmic mechanisms to basically sell

1 a little of this, buy a little of that. You know,
2 whether they are underlying cryptos or something else,
3 there are mechanisms that people are already
4 developing. That, too, goes to disclosure.

5 Folks need to understand how that works. And you
6 know, there's no guarantees of anything. That should
7 be made clear. But, again, it is the nature of that
8 ability to change baskets, that I think is what will
9 get regulators' eyes. The more that it sounds like
10 it's basket picking, the more it's likely to fall into
11 somebody's regulatory oversight, collective investment
12 vehicle, you know, depending on underlying issue, it
13 could even be a commodity pool, who knows. It depends
14 what the underlying instrument is.

15 CHAIRMAN GORELICK: Okay, thank you, Gary. Thank
16 you, Lee. At this point I think we are ready to hear
17 from Chris Brummer, Professor and Director of the
18 Institute of International Economic Law at Georgetown,
19 and Tom Chippas, the CEO of ErisX.

20 Chris and Tom will present on the issue of crypto
21 asset custody. Thank you very much.

22 MR. BRUMMER: Thank you so much. Great. Thank

1 you so much for having us here today. It's a real
2 pleasure to be here with you and I applaud
3 Commissioner Quintenz's leadership in helping to
4 direct the attention of the agency to many of these
5 cutting edge issues. Indeed these are cutting edge
6 issues. And as I tell my students, expertise is
7 relative as opposed to absolute. And as a result,
8 many of the comments that I'll be making today are
9 intended to provide an overview of some of the
10 relevant comments, and then as I present and sort of
11 walk through some slides that I've presented, Tom is
12 going to be able to chip in as well and provide his
13 expertise and view and to help extend the analysis
14 provided in this larger, again, overview of custody
15 challenges in the digital asset space.

16 Okay. So I guess our first slide goes to this
17 question, and I think it really fits in very well with
18 the previous panel. Ultimately, when we discuss the
19 question of custody, custody is a critical aspect of
20 market infrastructures and market activities more
21 generally. Indeed holders of digital assets without
22 some kind of custody tools don't have the means of

1 making a market and as a result, custody is a question
2 that really goes to a foundational matter of market
3 making and goes to one of the core building blocks of
4 building any digital market infrastructure.

5 But custodying digital assets and
6 cryptocurrencies is not easy. And cryptocurrencies,
7 as we all know, are essentially digital bearer
8 instruments. I'd like to suggest in the context of
9 custody, this is important and it's more than just a
10 matter of asking whether or not cryptocurrencies fit
11 into sort of pre-established frameworks or guidelines.
12 I mean, when you think about what this means, it
13 creates unique challenges from both cybersecurity and
14 governance perspectives. So from a regulatory
15 perspective you have to think what those differences
16 are and then obviously try to map out an appropriate
17 industry and governmental response.

18 Custodying crypto assets also is at least right
19 now characterized by a varying array of different
20 kinds of coping techniques. And these kinds of coping
21 techniques as I'll get into shortly, deal with an
22 array of different kinds of uncertainties that

1 permeate the market. But from an infrastructural
2 perspective, the very concept of custody itself has to
3 be inspected a little bit closely because it can infer
4 or indicate responses that could include a
5 transactional custody approach, where an institution
6 or entity is custodying a digital asset or
7 cryptocurrency for a discrete transaction or purpose
8 versus other kinds of custody infrastructures that are
9 intended for more indefinite and prolonged
10 relationships.

11 Let's move to the next slide.

12 It's also important to highlight the fact that
13 custodial relationships vary. Now, there are lots of
14 different kinds of terms, usually terms of art, used
15 to demarcate what those relationships are, but I think
16 that the world can ultimately be divided into three
17 basic custodial relationships. There are the
18 noncustodial wallets that certainly I prefer and I
19 think others prefer, the word self-custody, because
20 it's a more concrete indication as to what is expected
21 of the person who is indulging or using or employing
22 this particular custodial solution. And then there

1 are the exchange-based custodial wallets. And then
2 finally, there are the third-party custodians, so the
3 non-self-custody, the non-exchange-based custodial
4 wallets.

5 One of the themes that I'd like to share with the
6 TAC today is that when you think through custody, I'm
7 the bearer of bad tidings, that there is no magic
8 solution, really, as to trying to navigate the world
9 of tradeoffs that ultimately come across different
10 custodial solutions. That is every custodial we will
11 discuss holds and has certain kinds of disadvantages,
12 and as a result there will have to be some kinds of
13 policy decisions and also market-based decisions as to
14 what is most appropriate given the infrastructure
15 being deployed and the kinds of customers that are
16 interfacing with that particular custodial solution.

17 Now self-custody is one where from a
18 disadvantaged sort of perspective, customers are
19 ultimately the weakest link in their own
20 cybersecurity. And this raises particular red flags
21 or at least concerns where retail investors and rather
22 unsophisticated users of digital assets are employing

1 this particular custodial solution. However, the
2 self-custody solution does offer unexpected advantages
3 in so far as it helps to enable decentralized
4 architecture that creates lower pay days for
5 cybercriminals. As a result, they can represent
6 harder targets, especially when significant resources
7 have to be deployed in order to infiltrate any
8 particular wallet.

9 Again, getting back to the disadvantage, self-
10 custody solutions are not always interoperable with
11 either exchange-based the solutions, which we'll get
12 into shortly, or even the sort of third-party
13 custodial solutions.

14 Now exchange-based wallets, certainly the kinds
15 of wallets many of you are focused on and thinking
16 through, ostensibly help to cure many of the
17 shortcomings of self-custody. Now the advantages for
18 customers are readily apparent. They are usually
19 rather easy to use, they can also provide a kind of
20 one-stop shopping venue particularly with exchange
21 platform providing a variety of services. They can
22 also offer greater cybersecurity and sophisticate than

1 at-least most retail customers.

2 The challenges accompanying any kind of exchange-
3 based wallet is almost an irony of being successful is
4 they can grow large and that they become the
5 proverbial honey pot that we've seen in the past,
6 where they become a target for cybercriminals. That
7 ease of use and the one-stop shopping function also
8 carries the disadvantage that you have collapsed
9 financial functions that arise in any particular
10 entity. So where you can have an exchange ultimately
11 engaged in not just a custodial function but also
12 market-making and the provision of a trading venue.

13 Now, this in itself creates many of the kinds of
14 risks and concerns that the Commission has always had
15 its eye out for, including the comingling of customer
16 assets, you could have different varieties of front-
17 running, market manipulation, these kinds of problems
18 can be particularly high-risk, especially in the
19 absence of supervision and regulation.

20 And then finally we have as I mentioned a final
21 option, which is the concept and possibility of third-
22 party, non-exchange custodial solutions. And now

1 these can offer greater cybersecurity and
2 sophistication than a traditional retail holder would
3 enjoy certainly under self-custody. And they may
4 alleviate, but not reduce or eliminate, the risk of
5 exchange-based wallets where custodians are separately
6 regulated, affiliated entities. It's important to
7 also note some of these non-exchange custodial
8 solutions can also be important where an institutional
9 investor could be looking to have access from --
10 looking to have multiple individuals access certain
11 kinds of assets, and customers may require certain
12 more bespoke access controls and permission settings.

13 MR. CHIPPAS: Just to comment now that we've
14 talked about both the exchange and third-party
15 options, hearkening back to the previous slide
16 briefly, some of the issues that Chris points out with
17 respect to comingling of assets, front-running, market
18 manipulation, et cetera, many of these concerns were
19 raised in a report last year published by the New York
20 Attorney General regarding virtual currency markets,
21 and there is a whole parade of horrible things that
22 most financial markets, professionals, would be aghast

1 to have seen. And I think it brought to bear -- to
2 the fore I should say, that these potential conflicts
3 of interest can exist when you have deep vertical
4 integration in some of the existing spot exchanges but
5 stepping back for a moment and considering the core
6 principles any operator of a DCM or DCO needs to abide
7 by, many of these issues wouldn't exist or go away or
8 be expressly illegal.

9 So although not directly a custody point, I think
10 what we're trying to highlight here is that the
11 custody choices made to-date in certain spot markets
12 may make the risk of some of these things higher and
13 the regulatory regime in which they operate under a
14 little less clear. Whereby, today under the CEA or
15 even under 1940 SEC regime, some of these issues don't
16 exist or would be expressly wrong.

17 I think that third-party custody doesn't per se
18 solve all of those but disaggregation of some of these
19 components remove some of the temptation that might
20 not otherwise exist.

21 MR. BRUMMER: Right, and even those as we note
22 are not, again, perfect solutions, and they do carry

1 some disadvantages at the same time, but it will be
2 interesting and I think one of the challenges of the
3 Commission will be to see exactly how that market
4 segment ultimately evolves. And there would be to the
5 extent to which there's greater proliferation in
6 particular of the third-party non-exchange custodians,
7 greater monitoring challenges given potentially larger
8 number of services providers.

9 We are all very familiar with the basic custodial
10 infrastructure and I'll go quickly. Although, I did
11 want to just highlight something briefly.

12 Obviously, the world can be sort of divided into
13 the hot wallet versus cold wallet world of custodial
14 services. It's just useful to keep in mind that each
15 of those solutions, again, involve a kind of tradeoff.
16 And one way of looking at the tradeoff, is whether or
17 not to the degree to which there's a tradeoff of
18 liquidity and ease liquidity management vis-à-vis
19 increased cybersecurity risk.

20 And also, there's this question of scalability
21 and the question of how costly is scalability with
22 either one of those two solutions, so when you have a

1 kind of digital markets infrastructure and electronics
2 infrastructure, it's easier to scale. Whereas, with
3 the cold wallet you're off line, you're trading at-
4 least safety, although again there's still some human
5 risk where you have individuals physically pulling
6 keys out of storage. You're trading that safety for
7 more illiquidity in terms of speed with which
8 transaction can be executed, where there is a greater
9 reliance on cold wallet.

10 And there are questions of a more challenged
11 nature of scalability and when you get into that
12 scalability question, then you also have accompanying
13 cost questions. In fact, we were just sort of sitting
14 around drawing -- literally triangles of potential
15 trilemma, for economists around the table, as perhaps
16 there is one existing between cost, scalability, and -
17 -

18 MR. CHIPPAS: Liquidity.

19 MR. BRUMMER: I'm sorry -- cost, security and
20 liquidity, and to what degree are ultimately custodial
21 solutions able to achieve two of the goals but not
22 necessarily all three.

1 MR. CHIPPAS: I would add that it's cliché but
2 true, technology is an ever-changing thing. If you
3 were look at the custody of digital assets several
4 years ago, commercial providers would have espoused
5 about their bunker deep in the mountain guarded by
6 burly people with weapons and controlling access to
7 USB drives and things like this.

8 We've moved on from there to a combination of
9 taking devices, storing private keys offline, and
10 putting them into secure locations and putting in
11 place robust operational flows in order to utilize
12 them when necessary, but looking much more like a
13 bearer instrument and how one might secure a bearer
14 instrument. And the debate is already moving to new
15 technologies -- well, newly applied, not new
16 technologies, like multi-part computation, and the
17 Commission may find itself one day having to consider
18 whether or not custody is simply the assumption of
19 liability because the technology is widely available,
20 and perhaps made available by pure technology
21 companies, as opposed to what brand of computer does
22 my custodian use today to keep track of its books and

1 records. And that is an inflection point that is not
2 here yet, but it's actually not that far away.
3 So this space is evolving rapidly and I think to
4 previous comments made both by some of the
5 Commissioners and by previous panel about the
6 application of principles, it would be very important
7 for the Commission to maintain focus on principles
8 here because it would be virtually impossible to stay
9 up with the changes in technology and then evolving
10 operating models thereof, specific to digital asset
11 custody.

12 MR. BRUMMER: And just to highlight that,
13 obviously there's as technology evolves, you're
14 dealing with different kinds of solutions where you
15 not only having innovation on the digital
16 infrastructure-end, but also on the technical
17 exclusions, you're dealing with the procedural-end.

18 MR. CHIPPAS: For sure. And potentially,
19 commercial model as well.

20 MR. BRUMMER: And the commercial model as well.
21 That really does make this very much a moving target.

22 What also makes this challenging and difficult,

1 is that we've been talking about retail investors or
2 holders of crypto assets, but we're also obviously in
3 an increasingly professionalized ecosystem and you see
4 that there are lots of different kinds of custodial
5 players as well left off that list, but one of which
6 is enormous interest to you all would be
7 clearinghouses as well, but you also have the banks,
8 trust companies, broker-dealers, and others.

9 And therefore, particularly in a world as we
10 heard in the last panel of a kind of transitive theory
11 of legal asset identity where one kind of -- you need
12 a Ph.D. in physics to understand sort of the
13 transition of one digital asset and the transformation
14 of one digital asset from a security into a commodity
15 or the like. You know, you also have to think about
16 repercussions throughout the ecosystem like what are
17 the consequences for how you not only oversee but
18 supervise custodial players when the digital assets
19 themselves may move or evolve in terms of their legal
20 classification and identity.

21 Now one of the observations that we had made in
22 conversation with members of the subcommittee was just

1 that you have at times an assumption, when you think
2 about how markets evolve, and when you think about the
3 question of the institutionalization of markets, that
4 there's always the kind of competitive advantage that
5 some of the larger incumbents in closely related
6 industries may have, vis-à-vis the smaller players,
7 but this market is interesting because many, at-least
8 with the headline-grabbing entities in the digital
9 asset space, tend to be sort of less incumbent, more
10 of an upstart innovative FinTech firms at raising
11 certain kinds of questions about the ultimate
12 involvement and the timing of involvement of
13 institutional players, and there is considerable
14 skepticism or at least concern in the institutional
15 market as to the degree to which they should be
16 involving themselves in this digital asset custody
17 space.

18 And there are some causes or drivers that one can
19 identify. There's inherent riskiness of the asset.
20 Again, dealing with everything from cybersecurity to
21 technological questions, to the legal questions.
22 There's a lack of familiarity with digital assets even

1 vis-à-vis some of the upstarts, who maybe started at
2 T1 with a deep and abiding interest in the underlying
3 technology.

4 There's still also the questionable robustness,
5 two different kinds of cybersecurity and technological
6 and operational risks. And then, there's clearly the
7 regulatory compliance and litigation risk, when you're
8 a larger player and you have resources, you know, what
9 happens in the wake of any kind of malware attack or
10 hack.

11 MR. CHIPPAS: I would add and this is a personal
12 view, not a view of the committee per se, that most of
13 the institutional custody players today are sort of
14 lagging in their knowledge of this. They have
15 innovation teams, people that look at technology, but
16 if they were to enter the marketplace today and
17 attempt to provide providers of custody services,
18 they'd find capacity outstrips the demand today and
19 there would be a robust number of technology providers
20 or service providers that could work with them to
21 enable services as they so chose.

22 It's this last point that Chris mentions, I

1 think, around regulatory compliance, litigation, and
2 risk that gives a lot of the natural pauses in those
3 larger organizations where digital assets are
4 relatively small compared to other businesses they
5 engage in today, but the risk is outsized as compared
6 to risk in the other asset businesses they engage in
7 today.

8 That is my personal opinion but I do think it's
9 something with minimal amounts of inquiry you would
10 find likely to be true.

11 MR. BRUMMER: Those kinds of questions are
12 obviously -- well, I think my slide --

13 Now, getting to the question of the litigation
14 risk and regulatory compliance questions, again, I
15 don't want to differ with the ultimate conclusion of
16 previous panel in terms of saying, look you have to go
17 and you have to think through how different really are
18 these digital assets vis-à-vis what we've had in terms
19 of legacy financial products and instruments, but
20 there are differences and some of these differences in
21 terms of the infrastructure and the technology and the
22 governance mechanisms enabled and executed through

1 that technology raise a number of questions,
2 especially against the back drop of our traditional
3 expectations that seem to -- of custodians, that seem
4 to be present across different regulatory spheres.

5 No matter whether or not you're dealing in SEC-
6 land or CFTC-land, there's a general expectation that
7 a custodian maintains physical protection or control
8 of customer assets, you see always the kind of
9 proficient against comingling of assets, particularly
10 customer assets and that of the firm. And then, I
11 have in sort of parentheses, I think that there's
12 clearly an expectation that there's delivery of
13 customer assets to the customer in a timely manner
14 and/or when contractually agreed upon.

15 Now those kinds of expectations are challenged or
16 at-least made more difficult in the context of digital
17 asset forking. When custodians are in possession of
18 cryptocurrencies when a fork arises, you have a number
19 of important questions that arise. Is the custodian
20 required to return to the account holder the forked
21 cryptocurrency along with the original cryptocurrency?

22 Importantly, what is the speed with which new

1 forked cryptocurrencies must be delivered to the
2 account holder? What are the technical limitations
3 and the cost of delivery of the new tokens to the
4 custodians? And then, what disclosures, and we'll get
5 to this a little bit later, should be required for
6 customers regarding forking policy?

7 MR. CHIPPAS: Yeah. It's another scenario
8 whereby anticipation of possible outcomes is
9 impossible. What if a commonly accepted token was
10 forked into a stablecoin of currencies of countries on
11 Treasury's OFAC banned lists or what have you. The
12 custodian might face some serious issues with trying
13 to maintain that.

14 So to-date what you see typically is disclosure
15 of a forking policy, and most involve some aspect of
16 timing notice whereby holders of the actual assets are
17 able to remove them from custody, which is a burden in
18 terms of their usability and perhaps third-party
19 costs, as well, in order to receive those forked
20 assets and then they have to return them back in. So
21 it's certainly imperfect today but similar to previous
22 panel, disclosure is key in these regards so that at

1 least consumers of the custody services can determine
2 whether or not the forking policy is appropriate for
3 assets they intend to hold.

4 MR. BRUMMER: Another question that arises
5 especially when you start to think about the
6 international dimension of many -- of the trading
7 environment for many digital assets, but even here
8 domestically it's something that could be termed
9 intercustodial relationships.

10 Due to the cybersecurity questions, as in the
11 context of a hack or volume or because of demands for
12 withdrawals, exchanges, registered and unregistered,
13 can face liquidity crunches. Particularly when you're
14 operating in an environment of a very thin market for
15 a digital asset. And the inability to redeem customer
16 -- against a back drop of customer requests, can harm
17 the reputation of a custodian and as I've heard more
18 than once the faith in the industry. So it's like
19 going to an ATM and unable to withdraw cash, and
20 asking yourself, okay, do I trust ATMs across the
21 country?

22 Custodians as a result may lend digital assets to

1 one another without full disclosure of such activities
2 to their customers. And, you know, this raises a lot
3 of questions. It doesn't necessarily mean they are
4 going to lend or provide funding resources using
5 customer funds, although we've seen that stranger
6 things have happened.

7 Instead, it could mean that some of their other
8 resources used to bootstrap or to provide a cushion
9 for their own activities may find themselves sort of
10 lent out in different ways to help stabilize markets,
11 but it could ultimately end up creating questions
12 about the security of those customer assets.

13 So we end up -- and I think -- I took Yesha's
14 question to heart, about my Libra testimony over at
15 the House earlier in the summer. We, too, end up with
16 this question of disclosure, which is that given the
17 fact that there are no silver bullets and given the
18 fact there are tradeoffs, no matter what solution one
19 is engaged in. Some kind of disclosure regime in
20 plain English, is and would be necessary even for the
21 general health of the industry, and we think that that
22 disclosure should entail really a disclosure of the

1 full spectrum of potential counterparty risk from
2 cyber security practices and limitations, operational
3 risks, the conflicts of interests depending on the
4 business model of a particular entity, perhaps
5 information on balance sheet or capitalization.
6 Certainly information in terms of the forking
7 practices, so that a customer is aware of how the
8 exchange or the custodian will respond when a fork
9 arises.

10 And then finally, this question of whether or not
11 the digital asset custodian is ensuring those digital
12 assets or whether or not a customer is expected to go
13 out and engage in self-help and to find some kind of
14 insurance herself, and to provide some indication as
15 to whether or not that insurance is only for those
16 assets held in a hot wallet or whether or not it's
17 only for those digital assets held in storage.

18 I did want to add just two quick points. The
19 cyber security and practices, you know, of all those
20 perhaps that's the most tricky, right? Because if you
21 disclose too much you're providing a road map perhaps
22 to a wrong-doer, and so you'd have to think that

1 through thoughtfully.

2 And then secondly, getting to the question on the
3 previous panel, you know, is or would this kind of
4 disclosure stymie or prevent some kind of run in the
5 case of a hack, which I think is an excellent
6 question. And my sort of thinking here is, well, I'm
7 not certain that it would necessarily stymie or
8 prevent a run but I do think that it is important,
9 especially in a world where you see more retail
10 investors or holders of crypto assets in this space,
11 that people know not only what they are buying but who
12 is holding it. And what are the attendant general
13 risks that accompany that choice as to where and how
14 they are ultimately storing their crypto assets. And
15 it will be a delight and an interest in watching how
16 the Commission tries to integrate and to adapt by
17 thinking through certain kinds of disclosure issues
18 that have been traditionally, perhaps the province
19 across town, but as these issues fall into your lap, I
20 think that they will be more important.

21 CHAIRMAN GORELICK: Well, thank you very much,
22 Chris and Tom. That was a very informative

1 presentation. I would like to open up the floor for
2 questions and discussion about this presentation.

3 Yesha.

4 MS. YADAV: I feel terrible for asking another
5 question.

6 CHAIRMAN GORELICK: You're good about it.

7 MS. YADAV: I feel bad. But I'm delighted to see
8 that Tom and Chris have Law Review article in the
9 making, as well, which is very exciting.

10 So I guess my question is having outlined a
11 really fascinating -- through a really fascinating
12 presentation, this array of risks and costs that
13 custodians are facing. In addition, obviously the
14 forking risk you raised.

15 You know, I guess the inquiry that I have is
16 which credible players would really want to do this?
17 This really seems to entail a great deal of resource
18 management, enormous amount of logistical warehousing,
19 support, constant vigilance on the part of providers.
20 Why would providers want to get into this game,
21 credible providers, resource providers, want to get
22 into this game?

1 And related to the extent they do and want to
2 pass on their costs to retail customers, are we
3 creating the danger that retail customers then back
4 away from this custodial system and essentially become
5 the self-custodians that Chris was talking about with
6 the sort of diminishing impact on liquidity and the
7 use value of these assets overall. So that's really
8 the concern I had here.

9 MR. CHIPPAS: Well, maybe continuing like the
10 previous panel by answering the second question first.
11 With respect to retail today, I think you would find
12 that most crypto-natives are big fans of phrase, "not
13 your keys, not your coin." So today there's a
14 substantial number of retail participants that engage
15 in self-custody. What will be intriguing to watch as
16 marketplaces evolve, as new people enter the digital
17 asset space, is their concern the same? Do they have
18 the same ethos? Do they also want to engage in self-
19 custody. People don't self-custody their equities,
20 for example, today, they just assume the books and
21 records at DTCC are accurate.

22 So to that end, the second question is moving in

1 the opposite direction. So I don't think there's a
2 concern I would see initially.

3 With respect to the first question, I'll
4 reference my comments made during the presentation,
5 that there's solutions today. It's a competitive
6 marketplace for high quality solutions offered by a
7 multitude of providers, it's already moving onto
8 generation two, and three, and beyond.

9 So in all sort of technology-driven businesses
10 one would expect cost to go down, not up, and the
11 entrance of new competitors should keep that natural
12 economic check on potential growth. I think that the
13 reason when we say top tier and think about folks that
14 are engaged today in custody of equities and other
15 assets, the cryptomarket is just very small for them
16 right now, so the comment I made about risk and reward
17 tradeoff is probably the primary motivator today for
18 why you don't see them running into it.

19 MR. BRUMMER: Yeah, and I'll just add, I think
20 that when the market becomes more speculative, right,
21 I think it's a safe hunch that when you see a
22 ballooning or big jumps in terms of the valuation of

1 digital assets, that that tends to lure in individuals
2 who may be less sophisticated and as a result you can
3 see more of an inclination to engage in or to rely on
4 exchange-based or third party custody solutions as
5 opposed to sort of your first movers who may come to
6 the market, as former engineers with more
7 sophistication.

8 I think that the technology in this is absolutely
9 right. I want to again emphasize that this cost
10 question is going to rely in both the procedures being
11 deployed, as well as the basic choices, as to whether
12 or not you want to have a hot or cold wallet. And the
13 way you scale with either one of those solutions will
14 be different, I would suspect, right? If you have a
15 cold wallet solution where there's more human
16 interaction, where the physical person is engaged in
17 pulling out private keys, then whatever scaling model
18 is going to look perhaps more like a Ford assembly
19 line kind of scaling versus what kind of scaling you
20 would have if you're dependent on a digital hot wallet
21 approach where you can literally build out volume
22 based on a platform, and both of those would entail

1 different kinds of cost dynamics.

2 MR. CHIPPAS: That's what the next generation in
3 technology is trying to address, is that scalability
4 problem.

5 MR. BRUMMER: That's right.

6 CHAIRMAN GORELICK: Thank you guys. I think
7 Larry had the next question.

8 MR. TABB: Thanks. One of the primary business
9 models around custody is around lending and margin.
10 And when you start getting into digital assets and
11 issues, then with air drops and forks and
12 complexities, how do you see that developing, is that
13 something the Commission should be thinking about?

14 MR. CHIPPAS: I think the market's already
15 addressed it. If you look at lending agreements in
16 place today, they all have some sort of language
17 related to forking. It's been thought about. And
18 similar to the comments around disclosure, it's
19 understanding what the lender expects and what terms
20 the borrower and lender will agree to. So I think the
21 market is finding it's level, at least for the
22 participants today. Perhaps the thing to think about

1 is if the range of borrowers expands from the folks
2 that are in it today, they may demand new terms but as
3 far as currently those sorts of questions are
4 typically addressed in the lending agreement.

5 CHAIRMAN GORELICK: Thanks. Tim, I think you had
6 the next question.

7 MR. MCHENRY: Thanks, Richard. Thanks again for
8 a great presentation. Are any of these custodial
9 models particularly in the third-party custodian, are
10 they giving thought to how to best demonstrate
11 individual ownership to third parties like regulators,
12 auditors that come in, they are all looking for a way
13 to verify ownership so are any of these helping in
14 that cause?

15 MR. CHIPPAS: So, short version, yes. There
16 isn't a uniform way, there's certainly some more
17 focused on the pure cryptographic means, others on
18 other forms of evidence, but in short, yes. And it's
19 a actually point of differentiation for some
20 custodians, really targeted at their end audience. So
21 if you are looking for a custodian, say, because you
22 want them to house a fund and you want to be able to

1 demonstrate as part of your NAV calculation, as
2 ongoing proof, et cetera, there's some solutions
3 oriented towards that. Others are more point in time
4 risk-based, wanting to see what are the balances
5 expected to see, "Can you show me that they are
6 there?" So, short answer is yes. Long answer is
7 there isn't one acceptable way to do it today. It's
8 really dependent upon who the custodian is trying to
9 service.

10 CHAIRMAN GORELICK: Chris?

11 MR. HEHMEYER: Thank you, Richard. Chris
12 Hehmeyer. I have a proprietary and a cryptomarket
13 making firm in Chicago and I wanted to offer a couple
14 real life examples and then follow it up with a
15 question.

16 One thing that in the previous panel that Gary
17 talked about is how powerful some of these stablecoins
18 are. And we went to -- we have a counterparty in
19 Japan that we make markets, spot markets to, and
20 Bitcoin. And I was going to visit them. We went and
21 sat down for lunch with our clearing bank that's been
22 our bank for 20 years. A well-known Chicago bank.

1 They do a great job, great people.

2 And we sat down with them about how long it would
3 take to settle with a counterparty in Japan and in
4 dollars, and they said, well, if it's in by 4:00 we
5 can assure it guess done by the next day in dollars.
6 If it's in Japanese Yen, if they have a correspondent
7 relationship with a correspondent bank that we have,
8 we might be able to get it done by Tuesday, if not it
9 will be Wednesday.

10 And so, I told that to our counterparty in Japan,
11 and he said, "Forget all that, we'll settle in Tether.
12 It will take minutes and there'll be no charge." And
13 we were settling on a wallet-to wallet basis, settling
14 on Bitcoin and Tether. And so, people are certainly
15 using wallet-to-wallet and self-custody. We're of the
16 opinion, of personal opinion, that the exchanges have
17 done a tremendous job of bringing in retail accounts
18 and principle-based trading firms in many way, and
19 some of the early participants. And that in many
20 ways, I believe it's because it's been their own
21 money.

22 With institutions coming in with a board of

1 directors and the CFO for a larger institution, a
2 company that's contemplating accepting a digital
3 currency, they want a very safe place for that money,
4 that value, that will be then be in the position of
5 United Airlines, not to pick on anybody, but if they
6 are going to accept a digital currency or a digital
7 token from the public that has to go someplace and
8 these custodians have built tremendous systems for
9 being able to accommodate that. And of course,
10 there's five or six big brand names that are now
11 coming into that space to provide that.

12 So we're of the belief that these custodians and
13 some of the exchanges are going to facilitate the
14 ability for these larger companies to accept digital
15 currencies or tokens or whatever the token is, but
16 typically digital currency and facilitate getting the
17 digital token back into dollars so they can make
18 payroll and pay taxes.

19 We make a market in those products and spot, and
20 on -- I think we're on six different custodians, and
21 it depends on the counterparty as to where they want
22 to settle, we'll try to settle where they want to

1 settle the transaction.

2 We're on, I don't know, something like 15 or 17
3 different exchanges. And so, the liquidity is -- of
4 course, these are relatively small assets in terms of
5 market cap, but the liquidity providers are being
6 aggressive and offering liquidity, and so my question
7 is in the presentation there's a discussion about lack
8 of liquidity and we go to these various firms and
9 offer to make markets to give them a price and our
10 competitors, Richard's company is one of them, and
11 they are very good at it. There seems to be a lot of
12 liquidity being offered to people.

13 So how do you come to the fear of lack of
14 liquidity on the -- as the custodians come to market?

15 MR. BRUMMER: So, one of our slides, and I assume
16 you're referring to our slide on the cold wallet
17 solution slide. Yeah. Our conversations largely
18 focused on this question of, okay, if you're offline
19 how do you literally access private keys quickly and
20 particularly in a world where, you know, you're
21 thinking and envisioning a world of smaller retail
22 accounts as opposed to larger institutional players

1 who may be able to -- for which, I guess, accessing
2 any particular set of private keys would immediately
3 provide access to, say, a large volume of any
4 particular digital asset, right? In which that in and
5 of itself would provide significant -- is a liquidity
6 move with very different qualitative features as
7 compared to, you know, smaller retail accounts.

8 And so, the challenge is that when you get retail
9 folks in the space of a cold wallet, you have to, even
10 if I'm only holding \$20 U.S. -- \$20 worth of Bitcoin,
11 if you have lots of different account holders and for
12 each of those you have to go and access those private
13 keys, right?

14 That's very difficult to scale, as compared to a
15 more institutionalized environment where, yeah, you
16 have maybe, you know, a couple players but they are
17 trying to move such a large amount of funds that,
18 again, you know, that raises entirely different and
19 perhaps from a pure liquidity standpoint of trading
20 less problematic questions as a world sort of
21 dominated by smaller retail players, and that's the
22 tradeoff we're trying to identify.

1 MR. HEHMEYER: Got it, thank you.

2 MR. CHIPPAS: Just to add to that Chris, it's an
3 interoperability question. So the market structures
4 that I see people trying to optimize, if I close my
5 eyes and just listen, sounds like old FX, OTC market
6 structures. And we've seen the negative consequences
7 of that as FX prime brokers were created, they were
8 the next great opportunity for them, they provided
9 credit and liquidity and solved all that problem in
10 the FX space, but everyone is exiting that business
11 because no one wants to pay for it, there's large
12 losses, and the participants rage against the ability
13 for those FXPBs to control risk. You know, this isn't
14 how it's done. Well, actually this is how we control
15 risk.

16 So I think that that's probably a larger
17 conversation but in short, there isn't
18 interoperability today so the liquidity may be
19 available, it just might be at the wrong place, at the
20 wrong time. And that is really the gist of what we're
21 trying to say, that it's not as free flowing as say
22 the Japanese equity across TSE, Chi-X Japan, et cetera

1 where you don't ask where do I have the liquidity.

2 It's just available in the market.

3 CHAIRMAN GORELICK: Well, thank you everybody for
4 your questions. We are out of time for this panel. I
5 apologize for any additional questions, we'd be happy
6 to take up in the subcommittee.

7 With that I'd like to suggest we take a five-
8 minute break and we'll try and get back on schedule.
9 We'll hear from the DLT and Market Infrastructure
10 Subcommittee when we get back.

11 Thanks, everybody.

12 (Recess.)

13 CHAIRMAN GORELICK: Thank you everybody. Now
14 let's turn to our second panel, which will include a
15 presentation from the DLT and Market Infrastructure
16 Subcommittee. Our subcommittee members are Brad Levy,
17 the CEO of MarkitSERV. Shawna Hoffman from IBM
18 Global Cognitive Legal Leader, that's a mouthful. And
19 Yesha Yadav, Professor of Law at Vanderbilt Law
20 School.

21 They will be presenting on data privacy and
22 application of DLT in derivatives markets for custody

1 and collateral management. With that I will turn it
2 over to Brad.

3 MR. LEVY: Thank you very much, Rich and to our
4 sponsor, Commissioner Quintenz who is not here at the
5 moment, but thank you to him for sure. And Shawna
6 Hoffman, my subcommittee co-chair and my co-panelist
7 Yesha, as well. To Jorge our former whipper and
8 Philip our new whipper, and Meghan for getting it all
9 together, and obviously Rich, our chair, and the full
10 committee. It takes a village and this is always an
11 interesting journey with a massive tech space and to
12 make it relative and interesting.

13 So I'm just going wind back to give perspective
14 of where we've been. It's hard to define, so maybe a
15 little bit of history might help.

16 So October last year we talked about this as a
17 very big idea, this distributed ledger technology
18 space. At the time people referred to it a bit as
19 Internet 2.0, this massive new model of trust related
20 to IoT. We talked about the broad issues in the space
21 around operations, technology, regulatory and legal.
22 And then the very broad applicability, whether it's

1 across asset classes, roles or functions, and we
2 zeroed in on FCM function then.

3 In March, we came at a little bit more of a
4 check-in on the hype cycle, which was fast and furious
5 post the December run up and rundown. Across-industry
6 adoption, we kind of set the timeframe as 2025 as
7 maybe when things become truly impactful, changing
8 everything. And we seem to maybe be close to halfway
9 through that journey, which we all kind of peg as
10 three, four, five years ago.

11 And then the applications, we focused around
12 smart contracting, trade reporting, and recordkeeping,
13 it's come up quite a bit today. And then, we dwelled
14 a little bit on payments. We talk stablecoins, fiat
15 money in digital forms, and then more private networks
16 that could leverage something digital.

17 So today we are going to continue in taking that
18 wider tech frame to try to define what we're trying to
19 talk about today and discuss and inform. Why? Because
20 it's our mandate, so we're going to be very focused on
21 tech. We will then take a pivot through a theme of
22 privacy, which is very topical on the planet, and

1 Yesha will pick up there with an outcome of
2 confidentiality, and then we'll dwell a little bit on
3 specific technology around encryption, which is a tool
4 that's very active in this space and again, has come
5 up quite a bit. And Shawna will pick up there.

6 More importantly, we'll pivot to market
7 applications, really hanging around custody for a bit
8 and I think it will be an interesting interplay
9 between our conversation and the previous with Chris.
10 And then, speak to some others as well which seem to
11 hang a little off of privacy or a bit more identity-
12 centric and wander through some use cases.

13 So a little less legal chatter by design, but I'm
14 sure we'll speak to it a little bit here and there
15 because it matters. It won't be highly technical
16 hopefully, but it will certainly involve tech, and I
17 think per our Chairman, we'll achieve that perfect
18 balance of speaking in a high level way, technology-
19 wise, and hopefully bringing ourselves down to earth
20 to speak about practical things.

21 So I will kick off with this larger tech framing
22 and this has come up a lot today, many straight men

1 for this panel of men and women, I have the women on
2 my panel which is great, only straight men so far, but
3 there's a clear thing going on where this world of
4 crypto, or virtual and physical and more tangible is
5 not distinct or disconnected. A lot of the concepts
6 are playing between the two worlds, we're all going to
7 benefit from it all, from a technology perspective.

8 So when you think -- and there's applications
9 proliferating everywhere, whether they're all doing
10 big things or not is a different question but private
11 equity use cases, equity stock, repo, traditional
12 markets. Again, a lot of the same issues coming up
13 there that you'd see in the crypto or virtual space.
14 Reporting, settlements and payments and obviously this
15 is a global conversation.

16 So this idea that there's crypto folks and
17 traditional finance folks is pretty much gone, at
18 least from our perspective and that's great from the
19 Technical perspective so that we can leverage across
20 the board. And there's also this real interplay
21 between those two areas, and they seem to be
22 benefiting from both.

1 Tom Chippas hit on a lot of that. Some of these
2 are not new, I loved the stablecoin panel. This is
3 not new from sneaker pimps to art to a crypto, it's
4 all the same concept and the same technologies will
5 apply. Execution, collateral management, processing,
6 will this be two separate worlds or a blend? At least
7 from what we've heard today and on this panel, it's
8 likely to be more of a blend.

9 Second point it's not the whole chain, it's not
10 everything on blockchain and life gets easy. It's
11 many different technologies from storage to moving and
12 automating through from here to there. And then,
13 obviously a lot of software that's just about
14 automation, and maybe it's a smart contract or not.

15 You do not need to benefit from this technology
16 or you do not need to be on chain to benefit from a
17 lot of these technologies. And again, very broad from
18 execution to asset safekeeping which, again, the
19 custody end and then that servicing end we focused a
20 bit more on more last time in previous session with
21 FCM-types.

22 All the asset classes are in play. There's many

1 different issues as you get atomic or granular, and
2 there are many different areas for us to all leverage.

3 So just taking a little bit the journey of our
4 subcommittee and how we sort of got here, you know,
5 there is a lot of relationship between the two. You
6 know, when Gary DeWaal talks about regulation and
7 stablecoin and then makes some wonky argument about
8 swaps and that's connected. I live in the swaps world
9 day-to-day, clearly this idea of this technology could
10 apply to just about anything which is both the benefit
11 and the challenge.

12 The middle part here on cloud and AI, there are
13 real benefits to this space today. When you start to
14 get this information together and work downstream more
15 a little bit, into supply chain management, there's
16 real AI marrying with blockchains today in the world;
17 energy, maybe in supply chain management, I know IBM
18 is doing a lot that. There's quite a bit there. And
19 I would argue the "D" of DLT comes from cloud adoption
20 over time versus the blockchain itself.

21 And again, it's a long-term road but we're deep
22 in it and it's about a 10-year journey we believe.

1 One thing that's come up for me today quite a bit
2 and the word hasn't been said, but I'll say it here
3 and it's in the deck. So I will force myself to say
4 it. It's the edge.

5 I read a report a couple of weeks back about the
6 cloud, the edge, and fog. It was really interesting,
7 where the edge is really what is going on with you, on
8 prem with you with you on your phone, in your home.
9 What are you leveraging from the cloud? And that fog,
10 what does that interplay between this pull of
11 centralized cloud-type stuff and decentralized
12 wallets, et cetera?

13 So all of these are a blend of things happening
14 whether it's widely decentralized, widely centralized,
15 and that middle ground that we're all trying to
16 engineer ourselves to. The reality is that stuff will
17 get much faster in the next three, five, ten years for
18 sure. Through advents in area like in areas like
19 quantum and 5G. We've said it before but it seems to
20 be more of a topic now in the world.

21 And then, the last point, again, came up a
22 tremendous amount today. Safety, liquidity versus

1 cost. When you think about what's in the cloud versus
2 what's in the edge versus how you efficiently run
3 information and processing between the two. Data is
4 going up, this cost of storage is going down, the
5 processing power is going up.

6 There's a massive cost element to all of it and
7 there's an economic impact. We can't lose sight of
8 that. The technology is not just use it and don't
9 care, you have to pay for it. And ultimately, a lot
10 of costs of these architectures are going to drive
11 ultimately what the right answers are, all the way
12 down to a decentralized self-custodied cold wallet or
13 hot wallet.

14 So exciting times. We're going to pick up on the
15 theme of privacy now. Yesha will pick up there.
16 We'll wander through encryption a bit and get to some
17 use cases.

18 MS. YADAV: Great. Thank you so much.

19 Commissioners, Meghan, Richard, it really is an
20 enormous honor and pleasure to have the opportunity to
21 be here today. Commissioner Quintenz, thank you for
22 your vision and leader leadership on the TAC and thank

1 you most of all to the brilliant staff here at the
2 CFTC for all your hard work and compassion putting
3 this TAC together and making this committee happen.
4 Thanks, especially to Jorge and to Phil for all their
5 hard work and dedication in this regard. Thanks also,
6 of course, to Brad and Shawna.

7 So our DLT subcommittee has been extremely
8 excited in exploring the possibility of DLT as a
9 technology that can help safeguard the privacy of
10 users as well as, of course, maintaining the
11 confidentiality of financial markets transactions.
12 What we know in this room is not news to any of us, is
13 that markets have become much more electronic over the
14 last decade and a half. We have seen algorithmic
15 trading become the norm in futures markets our trading
16 floor is a virtual one.

17 In addition, obviously since the passage of the
18 Dodd-Frank act, swaps markets are slowly following
19 suit. As Commissioner Berkovitz highlighted we're
20 seeing swaps migrate to swap execution facilities,
21 manage reporting, and that has created this need as
22 Commissioner Berkovitz was highlighting for structural

1 automation in the trading and reporting functions and
2 swaps markets. Really, sort of highlighting the deep
3 electronification of today's derivatives markets.

4 What this means for us as a TAC is this
5 incredible explosion in digital data that we're
6 facing, that is creating enormous technological and
7 logistical pressure on providers of market
8 infrastructure and regulators to make sure that this
9 data is kept safely, is processed securely, and is
10 stored in a way that makes it impervious to theft,
11 hacking, and other kinds of misuse.

12 Just to give you some color and idea of scale of
13 this data explosion and deluge that we're seeing in
14 the financial markets today, in the equity markets for
15 example, FINRA has reported seeing approximately 30 to
16 75 billion observations in a single day, having to
17 store 60 to 70 terabytes of data per month on their
18 cloud. Here in the derivatives market we have, as we
19 all know, seen trading volumes really surge over the
20 last decade and a half.

21 One provider, for example, the CME saw an average
22 data trading volume over approximately three million

1 contracts in 2004, that figure is now around 21
2 million contracts as reported in their quarterly
3 reporting in the 2018. So we really are seeing a
4 tremendous presence of data that needs to be protected
5 and we're trying to find as a subcommittee ways to use
6 DLT as a potential solution to helping market
7 providers keep that data safe.

8 So there have been a couple concerns that have
9 guided our work in this regard and really affected our
10 thinking as part of our subcommittee. So, the first
11 concern is really the importance of maintaining the
12 privacy of market user's data. Market participants as
13 we all know really value their anonymity, for many
14 it's a matter of existential economic survival. And
15 so despite the pressures market infrastructure
16 providers are facing, we need to keep their anonymity
17 and privacy secure.

18 In addition, being preeminent financial markets
19 today, derivatives markets today, this data that we're
20 generating on a minute-by-minute basis is really a
21 singular - - singularly lucrative target for hackers,
22 thieves, and other bad actors worldwide. Really

1 requiring regulators as well as infrastructure
2 providers to internalize enormous cost to themselves
3 to make sure that this data is properly protected.

4 Finally our subcommittee realizes that
5 confidentiality and privacy are not absolute values.
6 That in certain context, certain information access
7 needs to be tailored, for example, in the case of the
8 swaps market we can have certain environments in which
9 only certain market participants have access to
10 certain kinds of data. And of course, for the
11 purposes of this room, regulators need real-time
12 access on a continuous basis, in order to perform
13 surveillance and enforcement functions.

14 So in this context we believe that DLT has the
15 potential to offer some solutions to these challenges
16 that we have identified. In particular, DLT networks
17 can be tailored. They are adaptable. And can suit
18 different information ecosystems. Our normal sort of
19 vision of the DLT network is perhaps highlighted in
20 earlier panels, is really the quintessential vision,
21 is one of open network where any public user can
22 download the relevant software on their computer. But

1 we also know that DLT technology is developing in a
2 way to make it adaptable and permissioned to allow
3 only certain protected environments to exist and allow
4 only certain users to be able to access that network
5 in a safe and secure way.

6 In addition, despite being distributed, we can
7 still have single entities stand behind the network in
8 order to help manage and maintain the operations and
9 integrity of that network on a continuous basis. So
10 despite being distributed, we can still have single
11 providers of networks that can help maintain its
12 continuity and business operations.

13 In addition, the distributed nature of the
14 information on the network as Shawna's going to talk
15 about means that we're no longer relying as heavily on
16 single repositories or a handful of data repositories
17 in the market whose loss can essentially create
18 enormous systemic fallout, economic cost, and
19 potential loss of trust and faith in the marketplace.
20 So by distributing information across the ledger, by
21 storing that information in a cryptographic fashion,
22 we're able to reduce essential nodes of information

1 flow within the marketplace whose breach and
2 disruption can impact all of us particularly here in
3 the derivatives markets.

4 So finally as Shawna will now explain, DLT
5 networks are characterized by their ability to encrypt
6 data in a way to lock it cryptographically against
7 theft and misuse.

8 MS. HOFFMAN: Thank you for inviting me here
9 today. It is an absolute honor to be in front of you
10 once again. And two of the fundamental elements, you
11 know, of our human rights and especially our freedom
12 here in the United States are privacy and
13 confidentiality and they are very important. That's
14 one of the reasons we're here to speak with you today.

15 So, as we're embarking on the fourth industrial
16 revolution. Daily, our privacy and confidentiality
17 are being challenged. We've seen with hearings of
18 Congress lately, and a lot of questions that we do
19 have for each other. So, by its very definition,
20 distributed ledger technology can provide us with a
21 delicate balance of advanced technology combined with
22 security, privacy, and confidentiality.

1 So you may ask how can a system that is created
2 for traceability and transparency actually have
3 privacy and confidentiality within it? So that's one
4 of the reasons we're going to talk about encryption
5 now. Go to the next slide.

6 In the age old problem of privacy and
7 confidentiality, you know, of course is seen with the
8 internet each day. Our digital identities, they have
9 many strings of letters and numbers that represent
10 individuals each day. So they're registered with
11 third parties. Now if you really think about it,
12 we're just renting out that information. We're
13 renting these digital identities. We don't have
14 control of them today. That control is in other's
15 hands.

16 So with distributed ledger technology, or as we
17 know blockchain, it allows for self-sovereign
18 identity. Now the individual has complete control
19 over their data and over their identity with DLT. So
20 by definition self-sovereign identity, we do not need
21 an intermediary. So this means a user's self-
22 sovereign identity can be registered to a claim such

1 as block on the blockchain.

2 So cryptography is the process of encrypting data
3 or converting plain text into scrambled text so
4 someone who has only the right key can actually get
5 access to that information. Now blockchain encryption
6 prevents sensitive information from getting into the
7 wrong hands and being misused or even forgotten.

8 So in decentralized platforms, users can create
9 anonymity and privacy for asymmetric -- with
10 asymmetric encryption. Such encryption system is
11 based on users holding a public key as well as a
12 private key. The keys are unique and the users are
13 mathematically linked.

14 So one question you may ask is can the encryption
15 be hacked? I know that we've heard about that quite a
16 bit today and those bad actors coming in. You know, I
17 guess the one thing I would like to leave you with, or
18 leave you with one thing today, is one of the big
19 problems that we've seen with technology. The real
20 issue with hacking actually lies in the weakness of
21 the systems that hold the data.

22 So, simply to say it, it's the in and out of that

1 data. Now, the issue is not the blockchain itself but
2 it's the in and the out of the data that seems to be
3 causing the issue and that is where the hacking lies.

4 So as we are looking into the future, we are
5 starting to dive into other areas just to see what we
6 can do to secure that data to make sure it still is,
7 of course, providing privacy and confidentiality.

8 We have on the horizon, of course, quantum
9 computing coming down the line. And companies like
10 IBM are working on quantum encryption. And what that
11 will allow us to do will simply use principles of
12 quantum mechanics to encrypt data and transmit it in a
13 way that cannot be hacked thus providing greater
14 security and privacy to the individual.

15 So DLT is also known for its ability to create an
16 encrypted and immutable digital record of
17 transactions. Today the most widely used hashing
18 algorithm is SHA-256. And that hashing algorithm can
19 convert data into encrypted fingerprint that
20 represents the data's digital signature. So SHA-256
21 represents a one-way hash, that means it's impossible
22 to reverse engineer and retrieve underlying data in

1 that original form.

2 So this helps protect data's integrity, so if
3 this underlying data is changed in anyway a new hash
4 is generated.

5 So DLT can thus enable efficient storage and also
6 filing of documents. Once a transaction is concluded,
7 the hashed fingerprint represents a trusted and
8 immutable record for the network. Nodes within the
9 DLT network maintain the hash digital fingerprint of
10 transactions rather than a vast quantity of underlying
11 trade data. Underlying documents such as swap
12 contracts or a warehouse receipt are maintained
13 elsewhere like a secure cloud-based system, such as
14 peer-to-peer distributed file storage system.

15 And now we'll go back to Yesha to talk a little
16 further about DLT and custody.

17 MS. YADAV: Thank you Shawna. So our DLT
18 subcommittee has been quite excited and enthusiastic
19 about the potential for this DLT data verification and
20 information protection technology to be useful in the
21 custody function in financial markets. So as Chris
22 and Tom's presentation earlier showed, custody is very

1 much a critical pillar of the financial markets today,
2 and perhaps nowhere more so than in the derivatives
3 markets.

4 Derivatives markets are responsible for the
5 transfer of literally trillions of dollars' worth of
6 economic value constantly.

7 In the commodity space we're seeing warehousing
8 and transfer of incredibly important commodities such
9 as agriculture, livestock, food stuffs, precious
10 metals, and so on that are warehoused and transferred
11 in accordance with determinations made in a
12 derivatives market.

13 For the financial assets, trillions of dollars'
14 worth of securities and cash are moving as part of the
15 underlying derivatives markets trades themselves as
16 well as part of collateral management that attaches to
17 those trades. So for us as derivatives -- sort of
18 nerds, custody is really an essential part of what we
19 do in making sure that function works as securely and
20 smoothly as possible, is a central concern.

21 So here we feel that DLT technology can be
22 particularly useful. In particular DLT networks that

1 are able to securely certify user identities using the
2 digital identities using digital identities that
3 Shawna was referencing, verifying trades by
4 references the ledger that exists at a given moment.
5 And then, automating signals to custodians and
6 warehouses to direct the transfer of assets represents
7 a way to fully automate the custody function in
8 today's derivatives markets.

9 Now we're already seeing as alluded to earlier,
10 warehouse receipts that are becoming more electronic
11 but still enormous uncertainty remains and a lack of
12 coverage remains that means that assets cannot easily
13 be tracked, they're not necessarily as liquid, not as
14 easily to sort of see where they are in the supply
15 chain given the sort of lack of real-time tracking of
16 those assets in some context. So here by using DLT
17 technology to verify data to securely transmit
18 transaction information to custodians and third-party
19 warehouses, to then direct the transfer of those
20 assets we can hopefully automate this function more
21 fully and potentially make it more efficient and more
22 sort of useful for growing derivatives markets looking

1 forward.

2 And now Shawna will talk about further
3 applications.

4 MS. HOFFMAN: Thank you. So, next we wanted to
5 talk about audit and compliance. So blockchain can be
6 an absolute game changer for audit and compliance.

7 So today financial data as we know is dispersed
8 within firms all over the world and so the biggest
9 challenges, of course, with audit and compliance are
10 the needs for indelible record that records the key of
11 transactions over a reporting period.

12 So DLT-based networks collect transactions of
13 records from a diverse financial systems. The append-
14 only and tamper-proof qualities of our DLT create high
15 confidence financial audit trails. Privacy features
16 ensure only authorized users access. Consequently,
17 this can actually lower cost of audit and compliance
18 by a tremendous amount.

19 So importantly regulators can have access to that
20 data on a as needed - - on a live, as-needed basis at
21 any time. So initially we are seeing the assessment
22 of financial statement assertions such as existence

1 occurrence, accuracy and completeness of information
2 are amongst the prime candidates for blockchain and
3 audit automation. Next slide.

4 So here we have an example of a use case that
5 focuses on consensus. So any reference data that is
6 shared in a business network is potential blockchain
7 network. So we start to see each participant
8 maintaining their own codes, within a permission DLT
9 network. The network can create a single view of the
10 entire dataset - - dataset - - to those who are given
11 access to this data.

12 So think about it this way. Claim or identity
13 information is required for fraud prevention or
14 insurance networks, you know, is a great use case
15 here. Also think about it in the aspect of banking.
16 So bank routing codes are common vocabularies for
17 asset exchange data, where it's more important to make
18 changes to the dataset in real time and without
19 requiring a trusted third party.

20 And here we have an example of a use case that
21 focuses on finality. So as we know letters of credit
22 is a centuries-old process started in Medieval times

1 with the Knights Templar who required a way for
2 pilgrims to travel to Jerusalem without the danger of
3 carrying money around. The letter of credit process
4 is difficult one to automate due to the sheer number
5 of network participants involved. Blockchain gives us
6 the opportunity to modernize the letter of credit
7 process. With blockchain the letter of credit is
8 stored in blockchain and once spent is marked as spent
9 so the value of the letter cannot be spent again.

10 Again, no double counting.

11 So the use case also allows for innovative
12 methods of payment using internet of things. So for
13 example, smart contracts could be implemented,
14 implementing rules that prevent, allow access, reduce
15 payments if certain conditions happen. You know I
16 have one client that we're working with which is
17 fascinating, and we built out a program that allows
18 that if you move -- if the ship moves into a certain
19 zone, that payment would automatically occur through
20 blockchain. And so, we're starting to see major
21 advancements in regards to GPS, in regards to
22 tracking, in regards to automating many of those

1 processes and it's only with blockchain that that
2 capability can happen.

3 So we do have ongoing questions for you all, but
4 I would be remiss not to remind us, all of us, that
5 technology rapidly changes. Therefore it is important
6 not to regulate the technology itself but also the
7 outcomes of the technology and how it affects the
8 individual.

9 You know, we would be absolutely remiss to have
10 any sort of regulation that is specific on technology,
11 because I will promise you tomorrow the name and the
12 nature of it will change.

13 So, our four questions here, we'd like to read
14 them out. Do DLT-based information verification
15 standards meet various legal standards for data,
16 privacy, and security in derivatives?

17 The next for interoperability. Will market
18 demands just -- will markets -- there we go, demand
19 just a handful of encryption standards?

20 Third one down. How should innovation and
21 encryption take place where a handful of standards
22 support financial markets?

1 And then, should the CFTC lead international
2 standard setting in relation to data privacy and DLT?

3 CHAIRMAN GORELICK: Great. Thank you very much.
4 We're going to up for questions. I will start by
5 throwing out a question to the group.

6 I'm wondering given Brad's timeline that he
7 discussed of 2025 being the likely arrival time of
8 many of these advantages, what do we see, I'd like to
9 hear from each of you, the short-term developments the
10 Commission should be looking for in the next year or
11 two that you're most excited about?

12 MR. LEVY: Yeah, and I'll just pick up on the
13 general point maybe which is I would say 2025 is the
14 time where many individual value props will come
15 together and create sort of this super value based on
16 this new technology space. Along the way, there will
17 be real value created in individual areas that will
18 then over time begin to connect with each other, and
19 one plus one will begin to equal four, five, ten; but
20 in the next several years it will begin to, you know,
21 really add quite a bit of value.

22 Shawna, I know you have real world applications.

1 I have a few myself in terms of what people are doing
2 today. You know, maybe it's analogous to the
3 financial system or actual real world, but there's
4 definitely use cases today that are providing
5 incremental value not changing anybody's life but
6 real.

7 MS. HOFFMAN: Yesha, go ahead.

8 MS. YADAV: Great. So thank you for that
9 question Rich.

10 So one of the -- sort of paying off that, so one
11 of the big issues in this space in the near term is
12 the lack of standardization internationally. What we
13 know with respect to derivatives is that these markets
14 are quintessentially cross-border, and just taking the
15 US and the EU as an example, we know that privacy
16 standards, data storage standards, data transfer
17 standards vary enormously between the US and the EU,
18 and consequences for violating those standards can be
19 severe.

20 So in that marketplace, in the absence of
21 standard setting, and the absence of cross-border
22 cooperation, to set some framework under which this

1 technology can be developed, the risk here is that the
2 use cases that we're highlighting remain quite
3 bespoke. That they are restricted in ability to scale
4 and that really is a problem to the extent they
5 represent real value propositions for the market
6 overall.

7 MS. HOFFMAN: Well, and I think if I was to make
8 a suggestion, it would be to look not at the
9 technology but what is the outcomes that we want?
10 What are the human rights that we want? What's the
11 freedom we want? What's the privacy and
12 confidentiality?

13 Look to those and the technology will fall into
14 place. So I think that would be my suggestion,
15 because as we start to jump down the line of creating
16 standards and creating procedures, and hopefully
17 regulations that make sense for the market, you know,
18 we start to look at things internationally, we have
19 regulations all over the world that really do compete
20 with each other and we don't want to regulate our
21 businesses here in the United States to be regulated
22 out of being successful and being the top businesses

1 in the world.

2 You know, do if we look at those fundamental
3 rights versus the technology, as you start to put
4 those standards in place, I think that would be one of
5 the smartest things we could do.

6 MR. LEVY: Yeah, and just one area -- a couple of
7 areas specifically, we definitely see the potential
8 for DVP-like initiatives in existing trusted private
9 networks that do things already. So there's
10 definitely areas that we think will start to come in
11 the next several years there, not five years out.

12 I would say less cross-border initiatives. I
13 think that's just a bit of a nest of challenges,
14 whether it's privacy laws or technology or politics,
15 so I would say those won't occur necessarily, although
16 a lot of cross-border payments and all of that also is
17 certainly a big deal globally.

18 And just general record safekeeping. To a lot of
19 the comments made on compliance, how do you prove
20 you've done something and then how do you go back and
21 deal with something when you know you have to
22 resurface it? And then obviously, custody. Those are

1 real -- in the next one, two, three years, there will
2 be real value in those areas and we're involved in
3 some of those initiatives ourselves.

4 CHAIRMAN GORELICK: Thank you. Alex.

5 MR. STEIN: Thank you. Excellent presentation.
6 Mainly for Shawna, but please everyone speak up.

7 You raised privacy several times and encryption.
8 What are the best practices in anticipating the power
9 of quantum computing because in a public -- publicly
10 readable DLT, people may feel overly confident that
11 today their data is not accessible but with the advent
12 of quantum computing it's all there.

13 MS. HOFFMAN: That is an excellent question and
14 one that keeps me up late at night.

15 I don't know if I even have the answer that
16 you're looking for. But as we're continuing to
17 develop quantum encryption, we are having other
18 companies that we are seeing in the marketplace also
19 doing the same thing. As much as possible today we do
20 recommend quantum encryption even now. Because
21 there's going to be at some point, that some bad actor
22 is going to come into the system that has that

1 capability and really wreak havoc around the world.

2 So not only even just when talking about
3 blockchain but talking about anything security-wise.

4 MR. STEIN: Thank you.

5 CHAIRMAN GORELICK: John.

6 MR. LOTHIAN: What are you seeing in terms of the
7 interest globally in terms of investments in this
8 area? I've heard stories that because of the
9 ambiguity of our regulations, because of all the
10 maybes and the like that a lot more venture capital
11 firms, DLT projects or cryptocurrency projects is
12 happening overseas than in the US and that it's more
13 like prove the concept overseas and then bring it here
14 once we know how to navigate the regulation a little
15 bit better.

16 MS. YADAV: I think there's a lot of variation in
17 international responses, and some jurisdictions have
18 been forward relative to others.

19 For example, in the case of certain Asian
20 countries for example, Hong Kong, Singapore, they have
21 set up sandboxes to help. Australia, as well has
22 Sandboxes to help test nascent DLT-based and FinTech

1 technologies with the view to sort of seeking real
2 world reliability.

3 Other jurisdictions have been slower in the
4 uptake or at least more cautious. In terms of sort of
5 looking at the overall picture in this context, I
6 think that's one of the difficulties in trying to
7 navigate this space. Different countries just have
8 different attitudes towards innovation.

9 In the case of crypto, for example, we've seen
10 China ban it, India ban it. Whereas other countries
11 have been more responsive. And part of that may be
12 that certain financial systems just need these
13 technologies more.

14 And one can imagine, for example, DLT for custody
15 working really effectively for cross-border trades
16 where there is no trusted intermediary in-between,
17 where the swaps counterparties can instead rely on a
18 DLT-based system instead on relying on an intermediary
19 what may not exist and they may not have enough trust
20 in each other.

21 So where trust is lacking, these systems can be
22 much more palatable to regulators and to the sort of

1 financial population but again, this really varies by
2 jurisdiction, that's a challenge of being in the
3 space.

4 MS. HOFFMAN: I just want to mention one thing.
5 So what's fascinating about the countries that have
6 made it so that no one can use crypto, you know
7 outlawed it. These are the same countries we're
8 working the most with when it comes to blockchain
9 projects for business. And so, when we start to look
10 at the blockchain for enterprise, you know, no on
11 crypto, but absolutely yes on blockchain. So that's
12 fascinating too.

13 MR. LEVY: Yeah, that's a great point.

14 I would say this public/private element is really
15 important, a lot of what you see internationally is
16 driven quite a bit at that public -- state sponsored.
17 And I would say it's not as much a blockchain DLT as
18 it is around quantum, cyber, AI, and robotics. And
19 then, how blockchain fits into that much bigger
20 picture. My guess is the US, itself, specifically is
21 doing quite a bit on those fronts. Some we may know,
22 some we may not know, but outright sort of distributed

1 ledger blockchain, venture capital-type initiatives,
2 interesting.

3 I would say the bigger play is that much bigger
4 state/private, you know, there are some countries that
5 are very specialized in cyber, you know, for example -
6 - you know China's got a whole plan for 2025 that they
7 are pushing toward around all those technologies and I
8 would say blockchain just sort of fits within that
9 frame.

10 CHAIRMAN GORELICK: Thanks. For the last
11 question I turn to Erik.

12 MR. BARRY: Sure. It's very enticing to look
13 ahead to 2025, and a DLT network has solved four data
14 privacy concerns: for encryption, for consuming
15 machine readable regulations, for smart contracts; but
16 if we look down to an alternate universe in 2025 and
17 one CCP has chosen Hyperledger and other chosen
18 Ethereum, and others chosen Corda, DAML, all these
19 different DLT providers that are active in the FinTech
20 space.

21 I'm curious what recommendations should we be
22 providing to the Commission to not only lead standard

1 setting internationally, but also between these
2 different iterations of networks between different
3 players in the industry, without selecting a single
4 solution that, you know, play the role of a king
5 maker, but also balancing that interoperability?

6 MR. LEVY: Yeah, maybe it's a focus on what you
7 want to solve for, the risks. What you actually want
8 it to do first, that's come up a number of times
9 today.

10 Pay attention to everything. There's just sort
11 of that education element. And then ultimately, there
12 will be many, many. There's very unlikely to be one.
13 People talk one, but it almost never lands there. And
14 there will be four, five or six that develop globally
15 and in each area with specialties, and there will be
16 interop that will make sense over time.

17 We've always talked about interop and
18 fungibility, it's a futures concept. It never goes
19 all the way there but it gets sorted out or filtered
20 out over time based on people really knowing the
21 problem you're solving and then solutions coming there
22 and, you know, the momentum going behind the solutions

1 that are winning and those would tend to be pushed to
2 interoperate maybe or not, depending how the world
3 evolves.

4 MS. HOFFMAN: Well, and I think it's no different
5 than us looking at the cloud or any other technologies
6 that we've had that are more centralized. You know
7 blockchain it's fancy, it has a lot of hype right now
8 -- or distributed ledger technology, whatever we
9 decide to call it in the future. You know, again,
10 then it comes down to the results and what are the
11 results that we are looking for? And really, what are
12 the results we're not looking for? What are those
13 results that could cause havoc in the marketplace and
14 cause havoc for the individuals? You know, again, I
15 would never say -- I would never recommend to, you
16 know, put anything forth in regards to technology
17 itself, but more towards the results.

18 CHAIRMAN GORELICK: Okay. Thank you, everybody.
19 We'll take a break now for lunch, and return at about
20 1:30 p.m. Thanks.

21 (Whereupon, at 12:42 p.m., a luncheon recess was
22 taken.)

A F T E R N O O N S E S S I O N

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

(1:34 p.m.)

MS. TENTE: I'd like to call the TAC meeting back to order and turn the agenda back over to Richard.

CHAIRMAN GORELICK: Thank you, Meghan. I would like to turn to the next panel, in which we'll hear from Alicia Crighton, the Managing Director at Goldman Sachs, who will be presenting on behalf of the Futures Industry Association on best practices for managing risks associated with automated trading systems and highlighting FIA's best practices.

Then we'll hear from our fellow TAC member Mayur Kapani, Chief Technology Officer at ICE, who will speak about the risk controls for automated and electronic trading employed on ICE. Alicia and Mayur will be joined by fellow subcommittee members Yesha Yadav and Ed Prosser, Senior Vice President at the Scoular Company, to contribute to the discussion after the presentations. We will then open it up to our broader TAC membership to explore next steps for the subcommittee and its work and with that, I will turn this over to Alicia.

1 MS. CRIGHTON: Thanks very much, Richard, thanks
2 to Commissioner Quintenz and to your staff and to the
3 subcommittee for putting this important topic on the
4 agenda for today. You all have a copy of our updated
5 materials. I'll be using this as a primary context
6 for our discussion today.

7 So with that let's get started. Electronic
8 trading has become an integral tool for an
9 increasingly large percentage of the market
10 participants. So all of the principles we reference
11 here we think should equally apply to human and
12 automated trading, or manual and automated trading.

13 As we've all recognized in talked about the
14 benefits over time of the speed, the efficiency and
15 ease electronic trading has made it attractive to the
16 full spectrum of organizations and individuals that
17 interact with our markets. The wide spread adoption
18 of electronic trading, including automated trading
19 systems, has provided a range of benefits, not only
20 liquidity and tighter spreads but it's also created
21 the need to continue to update risk management
22 practices on a continuing basis. And that's where

1 we'll really focus the context of this conversation.

2 So in terms of the agenda for at least my
3 comments today, we'll spend a few minutes on the
4 evolution of risk controls, while the FIA work has
5 predominately focused on futures, I'll bring and
6 little bit of context from the options and equities
7 perspective, given my role at Goldman. And we'll
8 spend time walking through best practices in regards
9 to exchange risk controls. FIA has published
10 extensively on all of these topics, so we'll spend
11 some time going through the principles we've published
12 and advocated for. What the pre-trade controls look
13 like and what the post-trade controls look like and
14 how the ecosystem should function.

15 We've spent a lot of time and effort publishing
16 and conducting surveys, so we'll talk through trends
17 and themes from those surveys and then we'll go
18 through what we think are areas for continued
19 development and where we go from there. So we go to
20 the next slide.

21 We talked through what FIA's role in the
22 evolution of exchange risk mitigation practices has

1 been. I think this timeline is really helpful in
2 terms of the context of the work that FIA has done.
3 FIA has engaged with futures exchanges, market
4 participants, and international regulators for nearly
5 a decade on the development of best practices to
6 mitigate the risks of electronic trading.

7 If you note on the slide here, in terms of the
8 timeline and I'll highlight just a few different
9 items, it's quite dense obviously in terms of the
10 activity. The top of the timeline shows the actions
11 that FIA has taken, the bottom of the timeline shows a
12 number of different market events.

13 A couple items that I will call out here are one,
14 the FIA market access white paper which is actually
15 where our timeline starts. That was published in
16 April of 2010. And if you notice, we actually put out
17 that market access recommendations prior to the Flash
18 Crash.

19 A few other items I will call attention to, are
20 the Drop Copy white paper in 2013. Also in 2013, the
21 FIA response to the CFTC concept release on automated
22 trading and the March 2015, the guide to the

1 development and operation of automated trading
2 systems.

3 We moved to the next slide to get into some of
4 the a depth that FIA has published. We kind of start
5 at a higher level on a more principles-based advocacy
6 effort and really the kind of driver there has been
7 centered on the belief that in order for risk controls
8 to be effective, they should be principles-based
9 rather than a prescriptive set of requirements which
10 can become obsolete as markets and their participants
11 evolve.

12 I think two risks that are worth highlighting
13 from the kind of prescriptive comment is one, I think
14 as we've just said, they become outdated quite
15 quickly. And two, the cost of implementation
16 particularly in an already constrained environment can
17 be quite challenging for participants to absorb, which
18 is why we think the principles listed below, and we'll
19 step through them in a minute, are much more effective
20 and give the industry the ability to be nimble.

21 Walking through what the principles are. All
22 electronic orders should be subject to exchange-based

1 pre-trade and other risk controls. Exchanges should
2 provide tools to control orders that may no longer be
3 under the control of the trading system. Exchanges
4 should adopt policies to require operators of
5 electronic trading systems to ensure that their
6 systems are tested before accessing the exchange. And
7 the last principle, exchanges should be able to
8 identify the originator of an electronic order and
9 whether the order was generated automatically or
10 manually. So that's kind of the high level
11 principles.

12 Moving to the next slide. We go through a series
13 of pre-trade risk controls. Really the intention here
14 is to have the pre-trade controls work very closely in
15 tandem with the post-trade controls. While we've
16 advocated for this set of controls, what I thought
17 would be helpful is to lay it out in a chart format as
18 well, to show across a number of different line items,
19 what the participation should look like across the
20 various participants in the life cycle of a trade.

21 So it's not a single ownership to a particular
22 participant in the life cycle of the trade. For many

1 of these different line items, we take the view that
2 each of the participants should actually have a bigger
3 role in that kind of particular check.

4 Again, we think these pre-trade controls can be
5 implemented at various points in the execution order
6 flow at the trader level, at the broker level or at
7 the exchange level. And all of these controls are
8 critical in preventing a market disruption.

9 We think these then are closely aligned with a
10 series of post-trade controls, which are then more
11 designed to protect a credit event. So again, a
12 smaller list but focused on the trader, the broker,
13 and the exchange. If we look at Drop Copy
14 reconciliation, post-trade credit controls, exchange
15 era trade policies, and a robust audit trail. And
16 again, all of these really tie to the principles that
17 we laid out earlier in the materials.

18 We switch now to the surveys. Since 2010 FIA's
19 conducted a number of different surveys of exchanges,
20 as well as market participants, including clearing
21 firms and principal trading firms. Surveys were
22 completed in 2010, 2013, 2015, and 2018. Again, all

1 of those are referenced on the timeline and you can
2 see how they interact with different market events.

3 Exchanges were surveyed on their provision of
4 risk controls. And market participants were surveyed
5 on their use of the FIA recommended controls and there
6 are a few high level trends and themes that we
7 actually wanted to highlight in terms of the
8 information that's been provided to us throughout the
9 course of these surveys.

10 One is there's been a substantial increase in the
11 implementation of market integrity controls since
12 2010, including price banding and exchange market
13 halts. There's been a steady upward trend in the
14 adoption of basic pre-trade controls such as order
15 size and net position limits. Number three, controls
16 and tools such as self-match prevention, Drop Copy
17 feeds and kill switches are widely available. Number
18 four, there's been a steady upward trend in the
19 voluntary adoption of controls across the various
20 participants in the life cycle of the trade; including
21 traders, brokers, exchanges, and clearing firms, and
22 generally there's been positive feedback to industry

1 initiatives and responsiveness to identify and self-
2 solve industry risks.

3 On a more granular level we wanted to share some
4 feedback that we obtained from market participants
5 through these surveys as well. Specifically feedback
6 from the traders included that there's broad use of
7 some of the following controls: pre-trade max order
8 size limits and data reasonability checks, some form
9 of self-match prevention, message and execution
10 throttles, and Drop Copy functionality. And clearing
11 firms that were surveyed indicated abroad use of the
12 following, and these were either internally or those
13 that are offered by an exchange and that will vary
14 based on participant access, but they would also be
15 using message and execution throttles, price collars,
16 maximum order sizes, order trade and position Drop
17 Copy, and order cancellation capabilities.

18 So from a continuing development perspective, we
19 feel that as markets and risks continue to evolve, the
20 industry response to the risk present is also evolving
21 and FIA's identified the following themes: One is
22 increasing automated access to exchange risk controls.

1 Initiatives are underway at most exchanges to develop
2 API access to the various risk controls. More granular
3 pre-trade risk controls. The industry is working
4 towards developing more granular controls,
5 specifically referencing either an account or an
6 individual trader level.

7 Potential introduction of new types of limits.
8 Review is underway to determine the applicability of a
9 buying power limit, kind of the concept of a client
10 credit worthiness. And certification and testing.
11 Industry efforts are underway to work with exchanges
12 to improve the functionality available in exchange
13 certification and conformance testing environments.

14 So I think that really leads us to sort of what's
15 next from an FIA perspective. I think, you know, it's
16 a good time to really take a pause and look back on
17 kind of the 10 years' worth of industry work that have
18 gone on in terms of the surveys, the white papers that
19 have been published and all of the data that we've
20 been able to glean to say, okay, we have this data.
21 What is the right way to -- what is the right next
22 step in terms of moving that data forward?

1 Markets have evolved, participants' access to
2 markets have evolved. We have a tremendous amount of
3 data that we've collected over the last 10 years.
4 What's the right next step in bringing those pieces
5 together? So I think across team at FIA we'll be
6 spending some time thinking through what do we do
7 next? Is it an additional survey? Is it a white
8 paper? Is it a letter kind of summarizing the views,
9 the standards and having a more open dialogue around
10 those.

11 I'll pause there.

12 CHAIRMAN GORELICK: Thank you Alicia. We'll turn
13 to Mayur for his presentation and then do questions
14 together at the end.

15 MR. KAPANI: Good afternoon everyone. Hopefully
16 I'll try my best to keep everyone awake. And so,
17 first I wanted to thank the Commission and the
18 Commissioners and the TAC for giving us this
19 opportunity to share our experience building out the
20 risk controls. And I'll be continuing on the theme,
21 which Alicia kind of highlighted. We worked hand-in-
22 hand with the industry, with the FIA and every time we

1 got some form of feedback where as an exchange we
2 could do something better, which would help our
3 customers and get our clearing firms more comfortable
4 in how they manage risks for different group of
5 participants.

6 We took the feedback at heart and have continued
7 to evolve as our thinking has evolved as the markets
8 have evolved. And what I'm going to share is going to
9 be the current state of the union in terms of how we
10 think about risk across all futures exchanges and also
11 how we see where the future is going, which is which
12 is completely in line with the way FIA and other
13 industry groups have thought about it going forward as
14 the markets are evolving further.

15 I just wanted to give you a sense of our risk
16 controls philosophy. And this is just a short set of
17 principles we kind of think about internally when we
18 think of risk controls across all our exchanges. And
19 when we talk about all our exchanges, we are
20 jurisdiction agnostic. So we look at ICE Futures
21 Europe, ICE Futures US, which focuses on our soft and
22 financial products, ICE Futures Europe that focuses

1 heavily on our energy products and our interest rate
2 products. And our energy division in the US and also
3 NDEX, which is based in Netherlands. So when we talk
4 of risk controls, we look at all jurisdictions and
5 tried to come up with a super set of risk controls
6 such that any individual jurisdiction that has any
7 kind of a rule or expectation, we are matching it for
8 all our exchanges. And this also gives a singular
9 view to our participants and they know that when we
10 build something, we are building it for all and
11 applied to all our exchanges.

12 The other philosophy we push internally is we
13 should think really hard what we can do, preventive
14 measures versus reactive measures, where preventative
15 measures are what we can control pre-trade and what we
16 can detect and mitigate after an event has occurred,
17 post trade. So focus on prevention along with post-
18 trade mitigation and detection.

19 Another piece we heavily internally, we know
20 there are trade-offs in terms of throughput and the
21 latency and all the other things which our
22 participants care about. But we keep real-time

1 management as a principle as much as possible. So
2 that -- so that we don't compromise on risk management
3 compared to the other drivers. So as far as the
4 priority is concerned, we try to focus on real-time
5 management rather than then batched or a post-trade
6 management.

7 We tried to get it to our broad set of
8 participants. So we try to keep a granularity of
9 controls at different levels. Be it user account,
10 desk, which is essentially a group of accounts, and
11 the whole company or the trading form. So depending
12 on the products and the risk stance different
13 participants have and sophistication they have, they
14 can choose these levels of controls.

15 The other thing we focus heavily on in terms of
16 building out the tools and APIs such that we have a
17 tighter integration with the third-party systems and
18 in-house systems and in-house systems could -- our
19 consumer could be a clearinghouse, which is getting
20 real-time feeds off of these risk triggers along with
21 the participants, themselves.

22 So we focus heavily on tighter integration such

1 that building out APIs and building out frontends such
2 that we have much tighter integration with third
3 parties and other risk systems.

4 Now getting into the specifics, I know some of
5 this might be a repeat based on what Alicia presented
6 earlier, but I felt that it's important how we think
7 about risk controls in different categories and this
8 particular set of risk controls which we have are
9 market level risk controls. You're where we configure
10 these controls and set up these controls at individual
11 product or a market level such that we prevent orders
12 with prices outside of certain bands.

13 And we have both soft bands and hard stops where
14 soft bands, we give a warning to the customers
15 submitting the order saying that you are submitting an
16 order outside of this band. And we informed them so
17 that they can take appropriate action or make sure
18 that if it is intentional there and they're okay with
19 it, they leave it in the market. So we give warnings
20 on soft warnings related to the price of the order
21 they have submitted.

22 We also have hard stops where customers cannot

1 order, send orders outside of certain bands for a
2 given market. This is just to avoid erroneous trades
3 where they might be, they might think that entering an
4 order for one market but might be entering an order
5 for a different market and protect against those kinds
6 of erroneous trades. And we have many kinds of those
7 price controls which are managed by our market
8 supervision and are configured at a product level.

9 The next big investment we made based on the 2010
10 Flash Crash was building out a circuit breaker where
11 if a price of an instrument moves beyond a certain
12 higher or lower -- beyond a certain band in a small
13 period of time, which is configurable We pause the
14 market and when we pause the market, we are telling
15 the -- especially if there are runaway stops or if
16 there are orders coming in which are being triggered
17 based on this quick price movement in a small period
18 of time, we hold the price at a given interval and
19 also let the market absorb the event and then reopen
20 the market.

21 If the market is directionally going in the same
22 direction, we don't stop it, but we kind of pause it

1 for a period of time and we call this interval price
2 limiter or a circuit breaker. And this, and I'll
3 speak more about it, but these mechanisms helped us a
4 lot during the Saudi bombing event that occurred a few
5 weeks back and I'll go into some more details in the
6 latest slides.

7 The other things we have is we have a concept of
8 a no cancellation range where if we see a trade being
9 done outside the range of what we call as a anchor or
10 a base, which is based on the last traded price and
11 how the curve is for the product. We kind of inform
12 operations, "Can you please make sure that this trade
13 looks reasonable and there is no issue in the way
14 either our settings or the way this trade was
15 conducted." And they just take a look at it and
16 review to make sure that there was nothing untoward
17 when they observed that kind of a trade at that price.

18 Then we have protections against somebody
19 overwhelming the market with messages or orders in
20 terms of per session limits, per user limits where if
21 we get orders outside a given throttle limit for a
22 given session, we prevent more orders to come in. And

1 we have sophisticated mechanisms such that customers
2 are allowed to cancel their order, but they are
3 prevented from submitting new orders, that way we let
4 them manage their risk more effectively by getting out
5 of the market while also protecting the market -- the
6 integrity of the market where things are being
7 executed.

8 One feature which directly came about based on
9 the feedback from the industry participants was
10 ability to manage their risk when they -- either we
11 couldn't reach them or they couldn't reach us in terms
12 of connectivity. And as soon as we detect it, as soon
13 as we detect that we pull all the orders on the market
14 just to prevent a trade, which was unexpected. And
15 this feature has been extremely popular and we've made
16 it as a standard feature where people don't have an
17 option to even opt into it.

18 The next set of participants, the next set of
19 controls are what we call as a clearing member or in
20 equity parlance, broker managed controls where they
21 control what kind of limits they want to give a
22 participant based on how they believe the risk of that

1 participant and the sophistication of that
2 participant. So we have ability by which the broker
3 can or the clearing member can set the max order clip
4 size a participant can submit. How many working
5 orders in terms of sizes the participant can submit.
6 Net positions this participant can take in any given
7 product, product group.

8 We also have a limited, even though it's not very
9 popular, where independent of them sending orders on
10 both sides of the book you can be limited by, you
11 don't want this participant to send too many buy
12 orders, so you don't want this participant to send too
13 many sell orders. So we have limits for those.

14 And then there are some new limits we are
15 introducing. Separate limit for off exchange clip
16 size limit. Where if they are submitting a block
17 trade, some clearing members told us they wanted
18 better control of what is the max block size that can
19 be submitted for a given participant. And since this
20 has been an evolution and we support a large number of
21 products, there has been always a push for more
22 granular control of the product groups and which

1 products they want participants to trade and which
2 products should be included in a given product group.
3 So we continue to evolve this facility for our
4 participants.

5 So all the limits I talked about are all pre-
6 trade. And the next stage of the evolution we are
7 going through is looking at what is called as a margin
8 limit or buying power as we had mentioned earlier.
9 This is maximum dollar amount across all products that
10 are traded on the ICE Exchange. For a given
11 participant, what is the maximum dollar amount we want
12 this participant to be held to as a limit? And we've
13 invested heavily over the past few years to build that
14 out.

15 We started at the account level and we are
16 getting quite a bit of a push from our participants to
17 evolve this with a group of accounts where a limit can
18 be set at the account level. A limit can be set for a
19 group of accounts or there can be another limit that
20 can be set at the trading firm level. And if any of
21 these trip we want -- they want notifications at
22 different thresholds; 50 percent, 80 percent. All

1 these different thresholds where a clearing firm want
2 to be notified of breaches of these dollar limits.
3 And in some cases have actions available to them such
4 that they can hold new orders from coming in for these
5 accounts. Potentially even restrict any trades in a
6 given set of products.

7 So they want all kinds of granularity in which
8 actions to take based on these limits for an entire
9 portfolio of products that they are trading at ICE and
10 we continue to invest. And I've put some dates where
11 some of the functionality is being rolled out later
12 this year and early next year.

13 In addition to the clearing member managed
14 controls, we also have some controls which we provide
15 all the visibility to all the controls that have been
16 set by a clearing member to the trading member. But
17 trading members themselves have asked us for some
18 controls which are very specific to them. One of the
19 big ones is self-match prevention where they want to
20 be able to send orders and the exchange manage the
21 fact that if this order is matching with another order
22 on the book from their company or one of the other

1 users of the company or a desk in their company, they
2 want to prevent the self-match.

3 And we've been building this tool for a few years
4 and we've seen a lot of adoption of this particular
5 prevention and we give all the management control of
6 this to the trading firms.

7 In addition to things which I talked about, which
8 are pre-trade/post-trade, there are a set of controls
9 where market makers who do high volume option options
10 market making have asked us for a set of controls
11 where they want to manage risk, where if they do a set
12 of trades in a small period of time as measured by the
13 volume of trades they've done, the delta of the
14 options they have traded and there is another one
15 which we call cumulative, where they do a set of
16 trades across a set of the instruments for the same
17 product group.

18 We allow them -- we automatically pull their
19 orders so that they're not exposing themselves to too
20 much risk. And this is one of the ones which again,
21 used by all our options market makers because of the
22 nature of the instrument and the way options trade.

1 In addition to the controls themselves. That is
2 one area, again, we've invested heavily in terms of
3 making sure that the detection and mitigation of
4 breaches is as much a part of our workflow in addition
5 to actually implementing the controls at the venue
6 level. We have evolved and added a huge number of
7 visibility and kill switches in terms of controlling
8 user sessions, withdrawing orders, disabling accounts,
9 changing limits dynamically such that our trading and
10 clearing firms feel that when there is an untoward
11 event, they are able to manage the risk appropriately.

12 We're also investing heavily in improving this
13 visibility and also adding a lot more flexibility in
14 terms of selecting a set of filtering it by selecting
15 a set of products or selecting a set of accounts that
16 should be impacted based on quickly allowing them to
17 set those configurations with these kill switch kind
18 of features.

19 We have -- as everybody here has raised this a
20 number of times, is it's important that not only we
21 provide tools which are a frontend and or GUI-based
22 but we also provide APIs through which firms and

1 vendors can integrate all these risk controls into
2 their own workflows. So we've invested heavily in
3 building out the risk management API. If you've build
4 out separate order and trade call drop copies for risk
5 management and reconciliation, and we continue to
6 evolve adding more features to this - - our API set.

7 Last but not the least is breach alerts. We want
8 and continue to evolve different delivery mechanisms
9 for these breach alerts being a frontend screen, which
10 has all the breach alerts. Email, which needs to go
11 to a set of participants based on a certain breach
12 alert. And we've invested heavily in and continue to
13 invest heavily in improving this part of our platform.

14 So this is how the risk controls look like. And
15 I wanted to give a taste or some view on how well did
16 these risk controls perform when we had the Saudi
17 bombing event I think on the 11th of September. So
18 one of the things, and I've broken the slides into
19 three, one is how did our price collar sell? And what
20 happened?

21 This is not a very interesting chart, but this
22 just tells you that when the Saudi event happened,

1 there was a lot of uncertainty and lot of volatility
2 in the market. And just to give you a flavor, as soon
3 as the market's opened the price jumped 20 percent
4 until it stabilized, I think around 10 or 12 percent
5 within the first half hour.

6 And there were a lot of participants who are
7 sending orders across the curve, which are way off
8 market. So we were preventing all those trades and
9 erroneous rates from occurring with these controls and
10 they were very effective that day. And most of these
11 controls and I got a question earlier whether if these
12 controls -- can you show us during the whole day how
13 they fared. What really happened was in the first
14 half hour we saw large number of controls, price
15 controls got triggered because the market was not sure
16 where it was and there were people were trying to do a
17 lot of things which, and the markets are moving so
18 fast that they were not able to keep up.

19 And during this time also we discovered we hit
20 our circuit breaker only once, but we hit our circuit
21 breaker, which is set up where if a price moves a
22 dollar within five seconds, it pauses for five seconds

1 before it lets the market move again. And I think, at
2 least in our books, these controls work very
3 effectively and worked as intended with no negative
4 consequences, which we heard either from the industry
5 or the participants. So we, in our books, they worked
6 really, really well on the price control side.

7 The next one is how did, and I got this question
8 from I think Commissioner Quintenz's office, is how
9 did the credit risk controls work? What was
10 interesting to me at least, was that they worked, we
11 didn't see anything unique in the credit controls
12 because already the market and the industry had
13 evolved where these controls were set at appropriate
14 levels, where we didn't see them trigger particularly
15 in an different way or an interesting way on that
16 particular day. And I just, I've taken a sample set
17 of our pre-trade credit controls and shown you guys
18 how many times we triggered.

19 So as you can see, these numbers are really small
20 and this is BAU, business as usual for us. And there
21 was nothing interesting about these controls
22 themselves. They work. The clearing firms, the

1 trading firms, all the firms who trade market like
2 this are very evolved and they have already leveraging
3 all the controls we have developed. So, that
4 particular day was not very interesting for us.

5 The last but not the least, was Brent Crude
6 options. Again, as you can see, it was BAU, business
7 as usual for us. And what you see on 23rd, 24th, is
8 actually an interesting part where one of the
9 participants had an issue where the way they were
10 coding was not appropriate. So to their -- what they
11 expected how the market to behave and they were
12 hitting their own triggers and we were just informing
13 them that you are hitting triggers. Again, it is
14 nothing untoward. This is a normal for us more like a
15 BAU kind of event. And there was nothing in the Saudi
16 bombing event that completely changed anything there.

17 And the Saudi bombing event, the main thing we
18 started, we saw was the way the price collars worked
19 and the price protections. That was really beneficial
20 because we didn't have any other trades and the market
21 was very orderly. And we were very pleased with the
22 outcome.

1 With that, I'll pause here and take more
2 questions from the panel.

3 CHAIRMAN GORELICK: Okay. Thank you. I'll ask a
4 quick question and then I'll turn it over to anyone
5 else who's got questions here. So one thing I noticed
6 Mayur was that you talked about price banding on the
7 one hand where you will reject orders outside of a
8 particular price band and a no cancellation range.
9 I'm wondering whether those are the same ranges or
10 whether there is some gap between them such that there
11 are orders that are outside of the price bands but
12 that would be canceled.

13 MR. KAPANI: So no cancellation range. I mean
14 this is, Richard, if I answer the question for -- if
15 you take across all our products, the answer is it
16 depends. In many cases, non-cancellation range and
17 the hard stops are the same. In many other products
18 where there is a small gap between a no cancellation
19 range where the markets are more illiquid and there is
20 a possibility that we might get those orders and they
21 might be legitimate orders. So non-cancellation range
22 might be inside the hard, what we call as

1 reasonability limits.

2 So it, it depends on the product but usually they
3 are the same but they will move based on the nature of
4 the product.

5 CHAIRMAN GORELICK: Okay. Thank you. I'll start
6 with Brad.

7 MR. LEVY: Thank you. In terms of the types of
8 technologies you may be leveraging and when you get
9 into breach and things that are looking like an issue,
10 is there initiatives that you are driving or you see
11 on the horizon that are really more predictive? Like
12 something isn't really clearly an issue but something
13 looks like it's building up. It's not technically
14 violating any kill switch or it's a breach buffer, but
15 it's just more, more future tech predicting something
16 that's looming or coming forward that you can't quite
17 see based on, you know, a Flash Crash --

18 MR. KAPANI: Yeah. I mean, this is a very
19 interesting question and obviously that our market
20 supervision team will give you a lot of details on how
21 they think about this.

22 But what we do is we -- and for predictive

1 analysis, the key part is you need to have is you need
2 to have a historical view on what is good and what is
3 what is considered an outlier. So we invest a lot of
4 time in processing our historical data to form a view
5 at different levels. We might form a view at the
6 market level or participant level or even at a same
7 where two counterparties are trading with each other.

8 And again, we are getting into a lot of market
9 surveillance and supervision and we have alerts which
10 highlight on the screens of our market supervision
11 teams, which we give them exceptions which are outside
12 the norm, be at market level and sometimes a behavior
13 of a given participant in a market level and let them
14 form an informed view and decide whether it is a
15 serious event or a non-serious event.

16 I don't want to call it AI yet. It's more
17 statistical based on the kind of tools we have built.
18 It's predictive in terms of looking at history and
19 trying to see what it is.

20 And as you can imagine on the Saudi, the day we
21 had the Saudi bombing event, everything was going
22 crazy. So we, at that point in time, our market

1 supervision was closely monitoring all the limits,
2 closely monitoring all the movements we were seeing.
3 And at least most of the time they said, okay, this
4 alert based on the nature of this event is acceptable.
5 And they would, they would move on. They would not --
6 they didn't do anything unique except for saying that
7 are these bands appropriate? Are our configurations
8 appropriate? Should we be thinking about changing
9 them?

10 So they had -- that's the extent to which they
11 kind of touched the market. But outside of that, they
12 didn't do anything significant.

13 CHAIRMAN GORELICK: Thank you. John?

14 MR. LOTHIAN: You had another market that in the
15 last week or so that made a significant move down 16
16 percent in a day. And that was the Bakkt Bitcoin
17 futures.

18 MR. KAPANI: Yes.

19 MR. LOTHIAN: So that's a lightly traded market,
20 72 contracts that double -- on the first day doubled
21 or more than doubled, 166 on the second day. Can you
22 walk me through what may have triggered that day in

1 terms of your circuit breaker controls for something
2 that's illiquid like that?

3 MR. KAPANI: Yes. It's interesting, the circuit
4 breaker controls is a movement of price a given price
5 in a very short period of time. That didn't happen in
6 this particular Bakkt market. Right. So, and you
7 probably have some more details. We had a spread of -
8 - so our minimum price increment is \$2.50.

9 So we had a spread from \$2.50 to \$20 in the
10 spread itself as it was being quoted. So as this
11 market moved and traded, we didn't see sudden jumps or
12 sudden -- where it would trigger any kind of a circuit
13 breakers, but what happened or helped and we didn't
14 have enough liquidity to say whether these rejects
15 were just errors or rejects because it's people are
16 still trying to find the market, but our price collars
17 worked as design. Which is basically we are not
18 letting orders go outside of the price collars. And
19 the other thing, since we are a new market and many of
20 the clearing firms were uncomfortable or worried
21 rather, that we shouldn't have a runaway guy trying to
22 go and buy a lot of stuff which he is not prepared

1 for.

2 So had set limits appropriately based on the
3 capital or the liquidity of that participant. And
4 those holding all those things worked as designed.

5 And one of the things which at least from my
6 perspective when you look at a market like Bakkt, a
7 new market, highly volatile, these controls which we
8 have built and this industry has evolved over the past
9 maybe 20 years in terms of building out these
10 controls, really helped a market like that to evolve
11 in an orderly way. And I think it really helped
12 there.

13 CHAIRMAN GORELICK: Chris?

14 MR. HEHMEYER: Thank you again from an industry
15 and participant perspective, the surveys and the piece
16 from FIA are certainly comforting that people have
17 gotten better and better at using the tools and
18 adapting to the tools. And FIA is the perfect
19 organization in my opinion.

20 Full disclosure, I'm on the Board, I get the
21 privilege of serving with Alicia on the Board of FIA,
22 but FIA does a very good job of canvassing the

1 industry and getting information back. And these
2 surveys, compared to a few years ago when we continued
3 to have some problems and they've gotten to be much
4 less, but those surveys are certainly encouraging.

5 A couple of years ago, back when I was working at
6 NFA, we spent a lot of time talking about Reg ATS and
7 trying to get-- Gary's over here hissing at me --

8 (Laughter.)

9 MR. HEHMEYER: It got to be very tricky between
10 NFA, CFTC, and the exchanges as to where to draw those
11 boundaries from a legislative standpoint or a
12 rulemaking standpoint as to where to draw those lines
13 of what is and isn't an automated system. A stop for
14 instance, is that an automated system? It gets very
15 tricky to try to come up with language that applies.
16 Well, how broad is it? It can be very difficult to
17 try to impose. And I know that there's some that
18 certainly would like to bring that back up and I'm
19 just offering that that's tough.

20 One thing that you said, which I think is not --
21 shouldn't be lost on us, and that is that the
22 participants have asked you for better risk controls.

1 Right? And so, the prop trading firms, they're -- my
2 biggest fear is that I've missed something and there's
3 some problem right? The FCM's biggest fear is that
4 they'd missed something or one of the firms have
5 missed something. This is the biggest fear that they
6 live with.

7 Those two groups are very, very competitive and
8 at the exchanges -- I would just offer, and
9 congratulations on getting through that Saudi thing
10 that was a little bit of a hair raising night, but
11 that Sunday night. And I'm sure it was volatility
12 that we haven't seen in a long time on some of your
13 charts, but it was business as usual for you all and
14 thank you for bringing those new tools.

15 The tools that the exchanges develop, and I
16 certainly appreciate that the exchanges want the
17 firms, the clearing firms and the principal trading
18 firms to take the responsibility for that. I accept
19 it. Bryan Durkin reminds me of it when I have this
20 tussle with him that we are responsible. I get that.
21 Having said all of that, the exchanges are the last
22 place and the better that these tools are, the better

1 for the whole industry. And so, that's my one
2 comment.

3 Thank you.

4 CHAIRMAN GORELICK: Thank you Chris. Superna.

5 MS. VEDBRAT: So I have, you know, a few
6 questions. You know, you mentioned when we were
7 talking about the -- you know about the controls that
8 you're developing tools for the clearing members to
9 get information from the exchanges or the
10 clearinghouses when there are breaches, you know, set
11 by the clearinghouse itself, you know, on the end
12 users. So my question is like do you share
13 information about an end user portfolio that goes
14 beyond what they're using a particular FCM for?

15 Because you know, oftentimes you'll use more --
16 you'll have more than one FCM or more than one
17 clearing member and you know, we just try to keep the
18 information separate.

19 Of course, the clearinghouse will know how much
20 we are trading, but individual clearing members, we
21 try to keep a book segregated and --

22 MR. KAPANI: Yes - just to go ahead --

1 MS. VEDBRAT: I have two more questions, but
2 they're not all linked together.

3 MR. KAPANI: So I can answer this one. So
4 essentially, I think you have to look at our world and
5 any, and I'm sure it's true for all exchanges and the
6 trading firms get only the information they are
7 dealing with. Clearing firms only get information
8 about the trading firm for the product they are
9 clearing for that trading firm. And individual
10 participants -- even within the trading firm, there
11 might be cases where they might say that these group
12 of traders cannot see what those other group of
13 traders are seeing.

14 So all those levels of segregation are part of
15 our standard BAU practice. Information is when you
16 see a Drop Copy you kind of tell us and you also
17 validate with us that you are so and so from here
18 which is trying to connect and this is your connection
19 and we set up an entitlement. We get that entitlement
20 approved internally through a whole set of processes
21 and also with the risk manager at the trading firm
22 that this particular connection which you are

1 connecting on a private line with this particular
2 connectivity parameters is your connection. And we
3 are willing, we are okay to share this Drop Copy
4 information with you.

5 So those are the standard protocols we follow.
6 So is portfolio information available? No, it's not
7 available in a general form. It's, it's available for
8 the participant and the clearing member as applicable
9 and their entitlement for the product.

10 Is that helpful?

11 MS. VEDBRAT: Yeah, I mean I was just a little
12 concerned if you know, you have multiple FCMS, if
13 there was any sharing of, you know, the portfolio
14 level information, even if it's a breach. Like if you
15 gave a somebody a hundred units and they went, you
16 know, to 101, but it was like 50, 51 with two FCMS I
17 wouldn't necessarily want that --

18 MR. KAPANI: It would be a major cyber incident.
19 We would be in big trouble if we ever did that.

20 MS. VEDBRAT: And then, you know, you mentioned
21 development of the self-matching control. I just had
22 a question on as you're developing that, does it take

1 into account any type of like passive trading or
2 algorithmic trading? Especially like you know, for
3 end-of-day or market-on-close type of -- you know,
4 execution.

5 MR. KAPANI: So let me tell you how it works and
6 then you can decide whether it fits with a kind of
7 question or kind of things you're worried about.

8 It takes into account central limit order book
9 matching, which is essentially if there are passive
10 orders sitting on the book and an aggressing order
11 comes in to match with it, we will in real-time look
12 at it and say are these two orders based on the
13 trading firm configuration?

14 Same user, same account from these group of
15 accounts which the trading firm has told us cannot
16 match with each other. If the answer is they cannot
17 match with either. We prevent it and prevention has a
18 set of things we do there in terms of what actually we
19 do; whether we cancel the aggressing order, whether we
20 cancel the passive order --

21 MS. VEDBRAT: Okay.

22 MR. KAPANI: We have a set of rules. So those,

1 that's what self-match prevention is in our world.
2 End-of-day, somebody's putting a block position
3 between two people intentionally and giving it to us
4 that we don't look at it as a self-match prevention.
5 This is real-time where the orders that are flowing in
6 because they are running through multiple algorithms
7 or coming through different desks and the trading firm
8 wants to not unintentionally trade these two orders.
9 That's the prevention which we have built.

10 MS. VEDBRAT: Okay. So you have a control at the
11 trading firm level?

12 MR. KAPANI: At multiple levels.

13 MS. VEDBRAT: At multiple levels, okay.

14 MR. KAPANI: It can be at the account level --
15 group of accounts level or the trading firm level.

16 MS. VEDBRAT: Okay. And then, this is my last
17 question. On the Bitcoin futures. Does it use the
18 same default fund for the rest of your futures
19 contracts or does it have its own default fund?

20 MR. KAPANI: Yeah, so I think it's a little more
21 it's very nuanced and I will probably get it slightly
22 wrong, but I'll try my best. So the way we have it is

1 our guarantee fund, the way it works is if it is
2 Bitcoin that has been part of the default, there is a
3 initial 35 million just for that. Then we go through
4 the regular risk waterfall.

5 So Bitcoin has a separate waterfall initial
6 amount, which has been put back in addition to the
7 regular risk waterfall. So I think that's how it's
8 structured. And the other thing we have structured is
9 there is also a whole insurance side of it where we've
10 got an external insurance for any kind of theft and
11 breach. And I don't know all the nuances of that, but
12 we've got a separate insurance just for those kinds of
13 breaches related to Bitcoin, or virtual currencies.

14 MS. VEDBRAT: It's just that, you know, if there
15 are, you know, clients who've made a decision that
16 they don't want to have exposure to Bitcoin, just want
17 to make sure that there isn't like an indirect or an
18 unintentional exposure that they may have. It sounds
19 like --

20 MR. KAPANI: Yeah, point taken. Point taken. We
21 got a lot of feedback when we were launching the
22 product and so we structured the product accordingly.

1 Thank you.

2 CHAIRMAN GORELICK: Okay. Thank you very much
3 for those good questions Superna. I think
4 Commissioner Quintenz may have one comment. Oh, we'll
5 give Erik a chance for one last question and then
6 we'll turn to Commissioner Quintenz.

7 MR. BARRY: Sure. Related to Superna's first
8 question. Given the give-up nature of the futures
9 markets, particularly asset managers, how does ICE and
10 the FIA best practices consider the fact that I, as
11 the executing firm may be executing throughout the
12 day? How do your margin-based limits think about the
13 likelihood that I'm going to be giving those trades up
14 to another broker where I have no visibility into the
15 established positions there. If they're offsetting,
16 if they're putting a risk on.

17 How do you guys think about that when it comes to
18 the tools that both the CCP level and the FIA best
19 practice?

20 MR. KAPANI: Yeah, I think, let me first start
21 with how we think about this. So, obviously it's an
22 evolution in terms of we started with top day, that

1 margin limits what you are doing today you don't want
2 some -- it's a check where you don't have some runaway
3 set of trades that are occurring that are going to
4 breach margin by a given number. So that's what we
5 started with.

6 Then we evolved where we let customers put in
7 their start of day positions so that they can say,
8 okay, this is what I'm starting with. That's my
9 baseline include it in your margin control and now you
10 protect against that. Then the third level there,
11 which we have -- which we are moving to, which is
12 essentially incorporating any give ups and the logic
13 or allocation give ups at the backend where it is
14 distributed to a set of funds or to a given asset
15 manager post-trade into this calculation.

16 The thing we have run into and which is again,
17 based on the information that is provided, we
18 sometimes don't know who to allocate it to the actual
19 block. Many of the clearing firms and the brokers
20 just don't have that information at that point in time
21 or the, it's not in a form that can be consumed when
22 exactly mapped to the a given participant or a given

1 firm on the frontend. Once that -- and that
2 information flow is improving, but as soon as that
3 improves it will, it'll automatically get incorporated
4 into the regular risk management flow.

5 That's a great question.

6 MS. CRIGHTON: I'll add to that from an FIA
7 perspective. I think, you know, you can think about
8 it on a couple of different fronts. One is certainly
9 the kind of principles that we walked through apply to
10 that. But I think separately there's been work by
11 both FIA and FIA Technology to just sort of start to
12 put some of a more robust framework around thinking
13 about how give ups are done. Right?

14 We have the give-up agreements. There's been
15 provisions in those agreements in order to be able to
16 set limits. I think as we saw with the initial launch
17 of Bitcoin futures there was sort of a lack of
18 standardization around clearing firms and FCMs
19 communicating that they didn't want to take or clear
20 Bitcoin futures that were traded away.

21 So it sort of, I think, where the industry has
22 evolved is standardization around setting limits and

1 communicating those limits, which then I think kind of
2 brings back to the ecosystem more of a sense of
3 responsibility about what is the role of the broker in
4 terms of setting credit limits and what is the role of
5 the FCM in communicating credit appetite or
6 willingness to say this is the amount that I'll clear.

7 And I think FIA and FIA Technology's really been
8 at kind of the epicenter of driving some of that
9 industry standardization to sort of force that
10 communication. Right? I don't think we can think of
11 it in a world where the executing broker is completely
12 isolated from the FCM and the client's somehow in the
13 middle. Really we need to think about what is the
14 risk management practices at the client, at the
15 broker, and at the FCM. And then from an exchange
16 perspective, how do all those pieces come together?
17 And I think a lot of the work that FIA has done is
18 really bringing those pieces together.

19 CHAIRMAN GORELICK: Thank you. Commissioner
20 Quintenz.

21 COMMISSIONER QUINTENZ: Thanks, Richard. I just
22 wanted to make a quick comment that I think sometimes

1 there can be the perception that if there isn't a new
2 regulation, there isn't any advancement of innovation
3 or the addressing of risk by the marketplace or the
4 private sector. I think these presentations show
5 exactly the opposite that the marketplace, the
6 industry, the participants have been all over these
7 types of risks and for very good reason that there is
8 a very strong business interest and ecosystem interest
9 in addressing these at the exchange level at the
10 clearing member level and at the firm level.

11 And I would compliment both of you and your
12 organizations for the amount of work that you've done,
13 the amount of activity you've undertaken, and
14 proactivity that you've shown in this area.

15 And I'm personally very interested in any
16 analysis of the data that FIA has in any refreshing of
17 that, of that data in the future. So thank you both.

18 CHAIRMAN GORELICK: Okay, thank you. We will
19 take a five minute break and return for the
20 Cybersecurity Subcommittee presentation.

21 (Recess.)

22 CHAIRMAN GORELICK: Okay. I would now like to

1 turn to the final topic on our agenda in which members
2 of our Cybersecurity Subcommittee share their current
3 efforts and work streams going forward. At the end,
4 the committee would like to discuss whether the TAC
5 should vote to recommend the Commission issue a
6 statement of support for the FSSCC cybersecurity
7 profile at the next upcoming TAC meeting.

8 Our panelists today are Tim McHenry, the vice
9 president of Information Systems at the NFA, Josh
10 Magri, Senior Vice President and Counsel for
11 Regulation and Developing Technology at the Bank
12 Policy Institute, and Jason Harrell, the Executive
13 Director and Head of Business and Government
14 Cybersecurity Partnerships at DTCC.

15 Tim and Josh will present on the Financial
16 Services Sector Cybersecurity Profile and Jason will
17 present on the current approach to Vendor Risk
18 Management, the challenges of that approach and
19 possible alternatives to consider. I will turn it
20 over to Tim first.

21 MR. MCHENRY: Thank you, Mr. Chairman. So on
22 behalf of me and my working group, a partner Tom Price

1 from SIFMA, I'd just like to thank Commissioner
2 Quintenz and the TAC for this opportunity to present
3 the Cybersecurity Subcommittee's proposal for having
4 the CFTC issue support for the FSSCC Cybersecurity
5 Profile.

6 I'd also like to reintroduce Josh Magri, Senior
7 Vice President at the Bank Policy Institute. Josh has
8 been instrumental in the development and the promotion
9 of the profile and if you'll recall he did a
10 comprehensive overview of the profile during the last
11 TAC meeting back in March. During that presentation,
12 he showed how the profile was developed, how it can be
13 used, and he also discussed the growing public support
14 the profile has received; both from firms and from
15 oversight organizations.

16 So the purpose of our presentation today is to
17 introduce the our proposal, because at the next TAC
18 meeting, like Richard said, we'd like to have the
19 committee vote on whether to recommend that the CFTC
20 join these other oversight organizations and issue a
21 statement of support for the profile. Your materials
22 include a memo that outlines our proposal. We'll go

1 through that proposal briefly and Josh will also be
2 happy to address any questions or concerns that you
3 may have. He's also doing a presentation to cover the
4 profile as well.

5 So regulators in the Financial Services Sector
6 have recognized the risks posed by cyber threats and
7 they've been responding with strong risk and
8 principles-based regulation. However, firms are
9 finding that a significant amount their resources are
10 needed to interpret and evaluate these new
11 regulations. Consequently, the fear has been that
12 valuable resources were being shifted more towards the
13 evaluation and interpretation of regulations at the
14 expense of the actual application of security
15 controls.

16 So members of the FSSCC recognize this issue.
17 And so, in light of growing resource shortages in the
18 information security field, they sought to coordinate
19 an industry-wide effort to create a more organized and
20 consolidated catalog view of various regulatory
21 standards so firms could better survey and address
22 their obligations. They also decided to map this

1 catalog to the highly regarded NIST Cybersecurity
2 Framework to help firms engage in further risk-based
3 evaluation into remediation using that NIST model.

4 So over the span of more than I think 50
5 different working sessions and with the participation
6 of some 150 different financial institutions, the
7 participation of over 300 individual experts and with
8 the leadership of people like Josh, the Cybersecurity
9 Profile was created. And with that, I'd like to turn
10 it over to Josh to talk a little bit about the profile
11 and the process.

12 MR. MAGRI: Thank you Tim and thank you
13 Commissioners. Thank you CFTC staff and the TAC for
14 inviting me here. Mr. McHenry provided a wonderful
15 overview that I'm just going to really expand upon
16 here. I have a number of slides and if at any point
17 if it's in accordance with your procedure, feel free
18 to interrupt, ask questions. But as Tim mentioned,
19 I'll be willing to take a questions at the end as
20 well.

21 The first slide that I'm going to show is a slide
22 that you all have seen before. And this is really a

1 slide that, you know, the saying is, if a picture is
2 worth a thousand words, this is certainly one of them.
3 This is actually worth about 2,300 regulatory
4 questions, provisions, and other related guidance, et
5 cetera. And what this depicts is essentially those
6 provisions being mapped against the NIST Cybersecurity
7 framework.

8 And we call this the wiring closet because this
9 is what the information security professionals were
10 spending their time doing. They were essentially
11 taking each of the pieces of guidance, the
12 questionnaires, et cetera and trying to map them
13 against their existing programs to see whether or not
14 they fit their current programs, if there were any
15 deficiencies within their programs and whether or not
16 there was any type of overlap amongst the various
17 pieces of regulatory guidance.

18 So while this is somewhat of a scary graphical
19 depiction and represents the 40 percent of time that
20 information security teams were spending on basically
21 disentangling, there's also a lot of hope here. The
22 hope is that that there was going to be a more optimal

1 way forward and that there would be the ability for
2 those firms to organize against the NIST Cybersecurity
3 Framework.

4 So the next slide, I'm going to draw your
5 attention to the right hand piece first of all. That
6 is essentially the last slide, disentangled. It is an
7 architecture that is based on the NIST Cybersecurity
8 Framework as well as IOSCO's piece that came out in
9 2016 about cyber resilience for financial market
10 infrastructure. And what we did was we took a look at
11 the guidance that had come out and after the mapping,
12 we saw where there was this overlap and the overlap
13 was about 90 percent. And, but we wanted to also see
14 where there, there was opportunities for enhancement,
15 improvement based on what the regulators said.

16 So we took the NIST five functions of identify,
17 detect, protect, respond, recover and added a piece
18 around governance and dependency management, which is
19 what we found within IOSCO, in which was something
20 that the examiners were appropriately focusing on
21 during the exams.

22 We extended it to be a little less Socratic than

1 this to actually be much more assessment-based and
2 diagnostic and scope. So we added a column that is
3 called Diagnostic Statement. And these diagnostic
4 statements are essentially a synthesis of where you
5 might have the nine federal financial services,
6 regulatory agencies saying essentially the same thing,
7 but in different words and putting them in different
8 words.

9 You know, a good example would be if the nine
10 regulators said you should have a senior information
11 security professional reporting to the Board and then
12 varied up and said, you should have a chief
13 information security officer reporting to the Board.
14 We essentially just took what was the dominant
15 phraseology and said, have a chief information
16 security officer reporting to a Board about X-amount
17 of times per year. And then, we mapped it to those
18 pieces of guidance, those exam questions the regs, et
19 cetera.

20 Now the left hand side is where I would actually
21 anticipate in quite a few questions from the TAC. In
22 creating the architecture and the underlying

1 infrastructure. One of the things that we knew that
2 we had to do was essentially scale this thing so that
3 it would work for not only the firms that are quite
4 large and interconnected, but also the 10-person
5 broker-dealer.

6 And so, the way that that we did that is we
7 started to take a look at some of the guidance pieces
8 that were coming out, as well as some of the old ones
9 that, that you all actually generated post-2001. And
10 we decided to take a look at how a firm might impact
11 the overall economy if it was felled by a
12 cybersecurity attack. And so, then we striated by
13 whether or not a firm would have a global impact, a
14 more regional impact, more of a sector based impact,
15 or a limited impact. And based on how those firms
16 answer a nine question impact assessment, they would
17 then have to answer a series of questions.

18 So for smaller institutions, it would amount to
19 about 136 diagnostic statements, but that would be
20 something that they would go over with the examiners
21 from each of the agencies to determine if 136 was too
22 few, too many, et cetera. But that was -- that was

1 how we went about doing it. We were very conscious
2 because we had been asking a lot of the regulatory
3 agencies and oversight agencies not to reinvent the
4 wheel. So we were very careful not to do so as well.

5 We took a look at designations that were out
6 there such as systemically important financial
7 institutions that were global in scope. We took a
8 look at GLBA and made sure that at the most basic
9 level that impact here for that it correlated directly
10 with the requests out of GLBA. And we filled in
11 really the in-between with things that we were seeing
12 from all of you.

13 And as Tim mentioned, the way that this was
14 constructed, it really was a cooperative endeavor. We
15 had 150 financial institutions providing input and 300
16 subject matter experts from them, but we actually had
17 input from the nine federal regulatory agencies as
18 well as the self-regulatory organizations. And that
19 was crucial to this this development.

20 NIST, in fact, which of course has produced the
21 NIST Cybersecurity Framework. They held an open
22 workshop wherein they invited the regulators and us

1 and the public to essentially work on this scaling and
2 stratification. And we've worked with NIST quite
3 closely from the beginning of this to its released on
4 October 25th and we'll talk about some of those
5 statements of support. You'll see NIST in there to
6 current day because we plan on keeping this evergreen
7 and making sure that that the versions change with
8 both the regulatory expert expectations but also the
9 threat landscape.

10 So turning to the documented statements of
11 support since I was here last there are two that are
12 notable. One is actually from a group that you all at
13 the CFTC belong to. It's the International
14 Organization of Security Commissioners. In the
15 June/July timeframe, they completed the Cyber Task
16 Force Report and essentially reading through the
17 report. What was clear is, was a request of the
18 member agencies not to reinvent the wheel as it
19 relates to a cybersecurity assessments.

20 And they pointed to a number of assessments that
21 already exist, about seven or so in number, but they
22 specifically called out the profile throughout the

1 document and talked about how comprehensive it was and
2 how it incorporated much of the items including the
3 IOSCO piece from 2016.

4 The other one that's most recent that's notable,
5 is the Federal Financial Institutions Examination
6 Council, in late August put out a press release based
7 on similar requests that we're making of you all
8 stating that the profile would be acceptable as an
9 assessment approach. And so, on August 28th they said
10 that that firms should be utilizing a standardized
11 assessment approach and named the profile along with
12 three others; their own, the NIST Cybersecurity
13 Framework upon which this is based, as well as what
14 was known as the SANS 20, but is now known as the CIS
15 20.

16 One that isn't here because it's not necessarily
17 a statement of support, but the support is certainly
18 implicit, is from the National Association of
19 Insurance Commissioners, which is an organization
20 representative of the State Commissioners. They
21 actually started to map some of their revisions to
22 their IT examination handbook, which is given to the

1 states to the profile. So with that, we would like to
2 add the CFTC to the list of those that could provide a
3 statement of support. We are expecting more
4 statements, both the domestically within the US, and
5 as well as internationally.

6 Any questions?

7 CHAIRMAN GORELICK: Why don't we wait until after
8 Jason's presentation to do questions together. Jason.

9 MR. HARRELL: Okay. Wonderful. So good
10 afternoon and I want to thank the Committee for the
11 opportunity to present what is a new approach to
12 vendor management and what I would like to call the
13 age of resiliency. I think that resiliency, it's one
14 of those areas that has moved to the forefront as we
15 kind of evolve from cybersecurity and then how do we
16 actually bring systems up and make sure they're
17 functioning to more of a service-based model and how
18 do we actually provide products and services back out
19 to the marketplace in the face of disruptive events.

20 Vendor management is an area where we have, you
21 know, continued to try to make strides forward as a
22 sector, as supervisors, and that standard setting

1 bodies in order to improve or enhance the way that
2 firms actually manage the risks associated with their
3 vendors. And in this new age of resiliency when we're
4 looking at the current approaches that we're using as
5 a sector and as supervisors, we had to ask ourselves,
6 you know, is what we've been doing for the last 20 to
7 25 years in the area of vendor management the way that
8 we should be continuing moving forward in the face of
9 the new threat landscape?

10 So at the March TAC, we briefly spoke about the
11 number of supervisory documents that were out, there
12 is over 15 supervisory documents that were reviewed
13 and assessed by the subcommittee. In addition to the
14 vendor management life cycle, which is basically the
15 process that firms use in order to manage their vendor
16 risks. Additionally, we requested from the Committee
17 if it was okay for us to look at different approaches
18 to vendor management. And we got that support from
19 this committee. And I thank you for that.

20 And by allowing for a different approach, we're
21 able to take a revised look at the vendor management
22 process and recommend a direction that we believe will

1 support a more orderly functioning of the markets and
2 will support the resiliency efforts that firms' market
3 participants, market operators, standard setting
4 bodies, and the like that are currently underway.

5 So just as a bit of background, we already
6 understand that as the financial services sector,
7 we've seen a marked increase in the frequency and the
8 scale of cyber attacks. This is largely due to the
9 skill and determination of many of the threat actors
10 that have focused on extracting funds or information
11 from the sector. This risk has been made systemic due
12 to the global interconnectedness of the marketplace as
13 well as the complexity of the supply chain used to
14 deliver products and services back out to the market.

15 A new entrant into the financial markets
16 continued to cause disruption to the current way that
17 firms and consumers engage in this marketplace. And
18 the supervisory regime is continuing to mature in this
19 space. From market resiliency, it continues to be a
20 priority for many jurisdictions. And to achieve this
21 resiliency, we understand that it takes more than
22 system availability to provide a product or service

1 and requires an understanding of the entire supply
2 chain needed to deliver that product and each supplier
3 needs to have a certain amount of resiliency built
4 into their operations for the sector to have a
5 reasonable amount of assurance that there's a
6 continuation in the services to the marketplace.

7 When reviewing the current approach that market
8 participants and operators and supervisors have
9 adopted to manage these risks, we've noted that in the
10 process it may not be optimally designed to manage the
11 risks that firms face from their vendors and achieve
12 the level of resiliency that is -- that we face in
13 today's threat landscape. As a result, we are
14 proposing a new approach that may create a more
15 equitable risk balance between financial institutions
16 and the third-party vendors.

17 So as firms continue to partner with new and
18 innovative solutions providers, I expand their
19 delivery methods of existing products and services and
20 develop new solutions for consumers the suppliers use
21 to complete these activities, increase the surface
22 area available for threat actors to negatively impact

1 this marketplace. A number of the new entrants that
2 outside the regulatory perimeter while providing
3 critical services to firms that provide critical
4 services back out to the marketplace. Because of this
5 threat, it's important that market participants and
6 operators have sufficient visibility into the
7 operations of the supply chain to provide a desired
8 level of resiliency.

9 So when I talk about resiliency, because I always
10 try to make sure that we're all on the same page with
11 results to terms, is I'm speaking on the practices and
12 disciplines that enable firms to provide products and
13 services to the marketplace in face of disruptive
14 events, regardless of the nature and origin of those
15 events, by anticipating, preventing, recovering from
16 and responding to such events.

17 Over the last 18 months, resiliency activities
18 have ramped up from market participants, operators,
19 supervisors, standard setting bodies and trade
20 associations to improve resiliency across the sector.
21 Several supervisors have also released consultative
22 documents on their position on resiliency to express

1 back out to participants and operators their views and
2 thoughts on this topic.

3 In addition, a working groups have been
4 established to identify and develop ways to help the
5 sector improve resiliency and to support the sector
6 resiliency efforts. Through the course of these
7 efforts, it has been uniformly agreed that a service-
8 based approach to resiliency must be taken in order to
9 raise the level of assurance that a sector can provide
10 a minimum viable product back out to the marketplace
11 in times of extreme market stress. This includes not
12 only the firms but the supply chains used to deliver
13 these services.

14 So that kind of outlines where we are today.
15 What I would like to shift our focus to is the current
16 way that firms and supervisors are actually
17 approaching the vendor management challenge. With
18 respect to the supervisors and regulators, supervisory
19 documents have provided firms with a range of guidance
20 requirements from general vendor management
21 expectations to more detailed vendor management
22 rulemaking for vendors that are deemed to be critical.

1 In most cases current rulemaking doesn't
2 consistently provide guidance across all the different
3 areas, but usually provides guidance in specific areas
4 within the vendor management life cycle. From a firm
5 perspective, considerable bandwidth is really put into
6 three of these areas. And that's on due diligence and
7 third-party selection, contract negotiation, and
8 ongoing monitoring.

9 The way that firms approach this is they
10 stipulate numerous security requirements in their
11 contracts in order to address the risk that may arise
12 from using a vendor. In addition to these contractual
13 terms, firms also use questionnaires in order to
14 understand the types of controls that a vendor may
15 have in place. These are normally comprised of
16 hundreds of questions. Many of them are yes or no
17 questions. And even more are open to interpretation
18 by the individual or individuals who are completing
19 the form.

20 During the course of the contract, and if it's
21 agreed to within the contractual terms, the same
22 questionnaires are resubmitted to the vendor to answer

1 again and verify that the controls that were, are
2 still in place, that were in place at the beginning of
3 the contract. Additionally, several vendor or client
4 meetings may also be involved to get a greater
5 understanding of the control of information.

6 What this leads to is a very process intensive
7 means of control verification for the firm and for the
8 vendor, it's at least an order of magnitude higher
9 depending on the number of firms for which it provides
10 a service.

11 So what are the current challenges that we have?
12 I would say we really have four challenges that arise
13 from the current method of vendor management. The
14 first one and probably the largest challenge is just
15 the risk visibility and questionnaire fatigue. Since
16 questionnaires are used to gather information on the
17 controls structure in place with the vendor and these
18 questionnaires are of a different variation depending
19 on the firm and they consist of hundreds of questions,
20 it becomes very onerous for vendors to complete. More
21 importantly these questions actually provide a limited
22 understanding of the true business risks that a firm

1 faces when using a vendor. And it does little to
2 validate the vendor's ability to be resilient in times
3 of extreme market stress.

4 Again, for the vendor they're required to, you
5 know, complete hundreds of questions for all of the
6 different firms that they support.

7 The second one is the compliance to multiple
8 foreign policies, the standards, as part of the
9 contractual agreement process many of the firms
10 request that the vendor adhere to their policies and
11 standards and It's easy to see that once you get a
12 number of firms and you're trying to adhere to each of
13 their policies and standards, it could be different
14 controls structures that we use at firms that we're
15 trying to then put onto the vendor, and it just leads
16 to a number of requirements for the vendor that are
17 difficult, if not impossible for that vendor to
18 accommodate.

19 Intellectual property protection. So vendors are
20 also hesitant to disclose the technical details of the
21 - - and design vulnerabilities within their
22 applications or services, as this may compromise the

1 application or service if it's accidentally leaked out
2 to the public. In addition, vendors will not provide
3 access to the source code in order for firms to
4 actually understand the risks associated with the
5 product.

6 And then the last one is a contractual leverage.
7 Since there's a difference between small and large
8 vendors and small and large firms, it leads to an
9 inequitable distribution of the risk, which I will
10 detail on the next slide.

11 So I'll start, you know, basically at the top
12 left corner on the out of out of box security. So
13 small financial firm, large vendor. The small
14 financial firms have fewer resources to manage the
15 complex vendor relationships. When dealing with large
16 vendors, they're normally forced to agree to the
17 current security solutions that is offered by the
18 vendor. Additionally, the level of oversight that is
19 able to be negotiated by the firm is less than --
20 based on the just the cost basis of the contract and
21 therefore they have little room to influence or make
22 changes to the contract to get the additional

1 visibility that they would like.

2 If we go to the small financial firms, small
3 vendor, which is in the bottom left hand corner, the
4 vendor may have limited resources to provide all of
5 the security offerings that may be needed and the
6 financial firm then also has limited influence based
7 on the contract size to make the changes.

8 If we go back up to the top right hand corner
9 where we have complex relationships with limited
10 effectiveness, again, the complex contractual
11 relationships based on what was conceded to or agreed
12 to in the contract is difficult-to-impossible for the
13 vendors to meet all the contractual obligations.
14 There's a limited ability to get right to audit
15 clauses as this could lead to a never ending cycle of
16 audits from the firms that the vendor is trying to
17 support which could ultimately lead in conflicting
18 guidance on how to address the risks.

19 And then, if you have a small vendor and a large
20 firm, the vendor basically tries to agree to all of
21 the customized solutions for the financial firm just
22 because of the size of the contract, which leads to

1 higher service costs as they tried to build niche
2 solutions for the different large financial firms.

3 So given this, the challenges from the prior
4 slide and the contractual challenges here, the current
5 vendor risk management model may not be optimally
6 designed to support the resiliency required to provide
7 a minimum viable product or service to the
8 marketplace. As a subcommittee, we believe that we
9 must consider a different vendor management approach
10 that is an equitable risk balance between the
11 financial institution and the third-party provider in
12 order to deliver the level of resiliency in this new
13 threat landscape.

14 So from a potential new approach, you know,
15 developing an industry certification that is dependent
16 on the size of the vendor and the risk posed by the
17 vendor product or service to the entity and to the
18 sector, the industry will realize several benefits
19 over the current method utilized to identify vendor
20 risks. The first one is reduce questionnaire fatigue
21 for firms and vendors. Since firms will know that the
22 vendor has been certified against an agreed set of

1 resiliency and security requirements based on the
2 vendor service provided to the marketplace, this
3 certification will need to then be reviewed on a
4 periodic basis as determined by the risk level. This
5 will reduce the need for the questionnaires between
6 marketplace, market participants, and vendors since
7 the resiliency standard will have been set and
8 verified through the certification process.

9 Vendors will bear additional accountability for
10 maintaining their certification with the understanding
11 that their risk level may change based on certain risk
12 factors. For example, increased market penetration or
13 market share or concentration risks that may exist
14 when you have a limited amount of vendors that provide
15 a product or service to the firms.

16 The second one is common agrees in the industry
17 certification harmonizes the requirements of multiple
18 firms, again, by establishing an industry
19 certification, the number of disparate from policies
20 and standards that are contained within the
21 contractual language between firms and vendors can be
22 coordinated while achieving the industry's risk

1 management goals. The third thing is it simplifies
2 the contract language relative to cybersecurity.
3 Firms can require that vendors maintain their
4 certification as part of the contract, contractual
5 agreement. And this could limit the length of
6 security addendums that are appended to many of the
7 vendor contracts. And then, last but not least,
8 there's a greater level of resiliency assurance.

9 In this model, the smaller firms will also have
10 the ability to gain an understanding of the resiliency
11 of a vendor in a more comprehensive way than in the
12 prior model, because the questions that they would
13 like to have answered will be addressed through the
14 certification process and then the firms can have
15 better assurance that the controls and the level of
16 resiliency is in place.

17 So while other approaches have been considered,
18 this actually represents the best approach for
19 this challenge. We did look at a couple of other
20 options for doing vendor management, oversight and
21 implementation. One of those was around, you know,
22 providing direct supervisory oversight. But while

1 this -- will it have a benefit for the critical
2 functions, it still doesn't address the questionnaire
3 fatigue that will still occur. It doesn't limit the
4 compliance requirements that firms will put onto the
5 vendors. And it still doesn't address the contractual
6 inequity between the firms and vendors that were
7 described before.

8 The other challenges that as a subcommittee,
9 we're not clear on the number of critical service
10 providers that firms would have in this space. So
11 without that information or knowledge it's hard to
12 understand whether a direct supervisory approach would
13 add any substantial benefit or would be feasible for
14 the number of vendors that could then be pulled into
15 that space.

16 And then the last one was, we really looked at
17 around what if we combine industry certification and
18 direct supervisory oversight. But in that space you
19 really still get -- you get all the benefits of the
20 industry certification, but you don't necessarily get
21 additional benefits from the direct supervisory
22 oversight.

1 So again, given that you know, we looked at all
2 three of these and we decided that these new
3 approaches for the industry certification best served
4 the sector we want to provide some visibility into
5 that new approach and answer questions around that.

6 Thank you for thank you to the Committee and I'll
7 pass the floor back.

8 CHAIRMAN GORELICK: Thank you very much. Those
9 were both very informative presentations. I'll start
10 off with my own question and then I'll turn it over to
11 the floor.

12 So this is for Josh and for Tim. So I understand
13 that your mid-term goal here would be to get an
14 endorsement from the CFTC of this approach after a
15 vote by the Technology Advisory Committee to recommend
16 that to the Commission. What is your longer term goal
17 as it relates to the CFTC? Would it be for new rules
18 and regulations that somehow integrate this process
19 and framework or changes to the auditing functions?
20 You know, what would you like the Commission to do
21 longer term beyond justice endorsement?

22 MR. MAGRI: Sure. So I know that the term

1 "endorsement" carries a lot of weight. What we've
2 been asking for agencies to do is supply a statement
3 of support.

4 So that would be on the shorter term, the longer
5 term, yes, we would, we would appreciate if agencies
6 such as the CFTC take a look at the profile, its
7 organizational structure, and if they're thinking
8 about new regulations, new guidance, et cetera, that
9 they do really one of two things. The first would be
10 something similar to what the National Association of
11 Insurance Commissioners did, which is when they were
12 updating their IT examination handbook, they did a
13 straw man mapping of those amendments to the profile
14 and put it out for public review and comment.

15 Alternatively, one of the things that we would
16 appreciate is really just having I guess a
17 conversation or some type of public comment period
18 where when you, you put it out, you put some level of
19 reference to the profile and then we could work with
20 you through the traditional processes to developing a
21 mapping of those regulations going forward.

22 CHAIRMAN GORELICK: Okay, thank you. Brad.

1 MR. LEVY: Thank you. Jason, so your
2 presentation was great. Thank you. It's about
3 onboarding and maintenance. Have you thought of, and
4 in terms of the actual event management, where
5 something happens, like a POODLE, a malware moment
6 where you're looking to get to all of your
7 relationships, third-parties upstream and down, who am
8 I dependent on? Who's dependent on me? And a lot of
9 these systems are -- some are vendor management
10 systems. Some were more policy and then, some were
11 more event management. People are thinking it's more
12 of a continuum and can there be one system that kind
13 of handles all of that, including the in the moment
14 kind of back and forth that will go on when you're
15 actually trying to figure out "where am I exposed to"
16 as the event is unfolding.

17 MR. HARRELL: So what I'll say is that, you know,
18 one of the things that we're looking at from a
19 resiliency standpoint is, you know, number one, what
20 are the services that firms provide that are critical
21 to the market functioning. So, you know, sitting
22 down, for example, for DTCC we do clearing and

1 settlement and those are critical to the folks in the
2 marketplace.

3 In order to provide clearing and settlement, we
4 also rely on a number of third-party firms in order to
5 provide clearing and settlement back out. So the
6 requirement in the past has been "Well, can you as
7 DTCC have your systems up within two hours?"

8 And now we are looking at it from a sector and
9 you know, from supervisors to the sector of you know,
10 we understand that having systems up in two hours may
11 not -- still may not provide that product or service
12 back out to the marketplace because you know, one,
13 everybody still needs to have the same view of the
14 marketplace that they're operating in. And number
15 two, is you still have a number of providers that you
16 -- in order to provide that service back out, need to
17 be functioning and have resiliency built in as well.

18 So, you know, in the past when we were looking,
19 you know, just at the firm themselves, we go -- okay
20 you know, if we have a system and we can get it up in
21 two hours, you know, you could check the box, you can
22 continue to move forward. Now with the new

1 expectation of can you provide that product and
2 service we are looking at, we have to take a look at
3 our vendors a little bit more closely in order to be
4 able to answer that question.

5 The challenge that we have now is, is it enough
6 for us to use questionnaires and, you know, basically,
7 you know the self-attestations that we get from the
8 vendor in order to have reasonable assurance or is
9 that bar going to change and say no, more needs to be
10 done in order to understand that there's true
11 resiliency with those vendors to provide that product
12 or service out, so that we can have orderly a
13 functioning in markets.

14 And I think that, you know, for the last 15, 20
15 years we've been using these questionnaire-based
16 approaches and maybe the threat landscape has changed
17 to enough now where that is no longer enough to
18 provide a reasonable assurance that we can provide a
19 product and service to the marketplace and we need to
20 do something a little bit more to drive that
21 assurance. So then the question is what does that
22 look like?

1 MR. MAGRI: I was wondering if I might return to
2 your question about request. The one thing that I
3 neglected to mention, I think that you hit upon, was a
4 examiner training. That is something that we've
5 requested of the other agencies and the other agencies
6 have said yes to. Because really we want to make sure
7 that the profile as an assessment works for not only
8 the firms that use it in terms of simplification, but
9 for the examiners as well. And we found that having
10 an ability to talk to the examiners and provide some
11 level of training essentially it helps, you know, in
12 the field.

13 CHAIRMAN GORELICK: Okay, thank you. Gary.

14 MR. DeWAAL: So I found this very, very
15 interesting as someone who has often negotiated in my
16 life with vendors on requirements and things like
17 that. And I agree, it's -- there's a definitely an
18 unequal bargaining position when you're either dealing
19 with somebody who's new in the industry and really
20 doesn't have the resources and is very afraid to
21 commit to anything versus a monopolistic service
22 provider spectrum where basically you've got to take

1 it or leave it. So you know, that those, those are
2 issues and I'm not sure necessarily that those are
3 solved by a certification accreditation system.

4 Particularly if the goal is to reduce the length
5 of legal documents. I can imagine a scenario where
6 you know, a third party is now participating in this
7 certification or the accreditation effectively you're
8 opening up another party for potential liability in
9 the claim. And I'm curious who would be willing to
10 step up to that.

11 And I'm also concerned as you just said about the
12 qualifications of the folks who might be doing the
13 certification accreditation. You know, how can
14 industry participants be satisfied that the
15 certification or accreditation means anything.

16 And there's sort of a question in there, but I
17 guess also my final question is I understand
18 questionnaire fatigue, I absolutely understand that,
19 but is the answer maybe not more standardized
20 disclosure by vendors themselves as how do they deal
21 with certain, and I understand it's based on their own
22 bias or their own, you know, their own view, beliefs.

1 It's out there. It's a standard. And if they commit
2 to that publicly, certainly from a liability
3 perspective, that's not a bad thing if something goes
4 wrong down the line.

5 MR. HARRELL: So I'll answer the last question
6 first.

7 MR. DeWAAL: That's the new trend today, the
8 second question is answered first.

9 (Laughter.)

10 MR. HARRELL: So again, I think it depends on the
11 level of assurance that we need to provide as a sector
12 when dealing with resiliency and, you know, and I'll
13 just lend my own personal opinion here is that we're
14 talking about, you know, especially around critical
15 services being able to be provide it back out to the
16 marketplace. You know, my personal opinion and my
17 personal concern is that these are things that we
18 considered material for the orderly functioning of the
19 markets.

20 So I don't want to really get to a point where
21 I'm working on legal, you know, legal contracts
22 around, "Well, you're supposed to do this, you're

1 supposed to do that." It gets real dicey. So I don't
2 know if just relying on the vendor to provide a
3 statement that they're doing the things that they need
4 for resiliency will be sufficient with what is the
5 table stakes for that.

6 You know, again, this is something that we're
7 now, you know, trying to think through. So again,
8 understanding or agreeing -- having some level of
9 agreement of what is reasonable assurance in the case
10 of maintaining an orderly market.

11 The answer - - the next question around are we
12 introducing more liability and legal implications by
13 having an accreditation model is, I mean, this is why
14 - you know I think, one of the challenges I have for
15 this model is it's going to require a high amount of
16 coordination between the market participants, vendors,
17 standard setting bodies because we also need to say,
18 you know, what does resiliency actually really mean?

19 So before we can even start talking about all the
20 legal aspects of it, you know, what is going to be
21 required from not only firms but from their suppliers
22 to say that they have adequate resiliency? And then

1 once that is established, you know, how does that then
2 translate into what we need for vendors? And I think
3 we're still working through that.

4 There's been a number of consultation papers on
5 it. I believe that this -- later this month, the UK
6 Supervisors will be putting forth an additional
7 consultation document to follow up their 2018
8 consultation document on resiliency. So, you know, I
9 think we're getting closer to a solution there. I
10 just, you know, again, believe that we need to have a
11 stronger model to assure the resiliency to the
12 marketplace than what may be in place right now.

13 CHAIRMAN GORELICK: Okay are there any more
14 questions from the committee at this point?

15 (No response.)

16 CHAIRMAN GORELICK: Okay. So, that was great.
17 Thank you all for your comments today. We've had a
18 lot of good updates and feedback from our
19 subcommittees. We look forward to the ongoing work of
20 our subcommittees and efforts of the broader
21 Technology Advisory Committee. I would like to now
22 turn back to Commissioner Quintenz so that he can give

1 his closing remarks.

2 COMMISSIONER QUINTENZ: Thank you. Nothing
3 formal. Just to reiterate my sincere thanks for
4 everyone's hard work. I mean, meetings like this,
5 especially with such robust presentations require, you
6 know, a constant commitment of time and energy and
7 thought and ideas and preparation and then to come
8 here and travel, you know, and reserve your schedules.
9 It's very meaningful for me. I receive a great deal
10 of benefit from these conversations from all the
11 conversations we have. So just my, my sincere thanks
12 for being here today and participating so robustly.

13 MS. TENTE: All right. With that, thank you
14 everyone for attending. The meeting is now adjourned.

15 (Whereupon, at 3:28 p.m., the Commodity Futures
16 Trading Commission Technical Advisory Committee
17 meeting was adjourned.)

18

19

20

21

22